

# Exhibit 6

1 IN THE CHANCERY COURT  
2 OF DAVIDSON COUNTY  
3 STATE OF TENNESSEE  
4 ABU-ALI ABDUR RAHMAN, )  
5 Plaintiff )  
6 VS. ) CASE NO. 02-2236-III  
7 DON SUNDQUIST, ET AL.)  
8 Defendants )  
9  
10  
11  
12 Transcript of Proceedings  
13 Heard before  
14 CHANCELLOR ELLEN HOBBS LYLE  
15 May 30, 2003  
16 VOLUME 4  
17  
18  
19  
20  
21  
22  
23  
24 VOWELL & JENNINGS COURT REPORTING  
25 222 Second Avenue, North - Suite 328  
Nashville, Tennessee 37201

324

1 APPEARANCES:  
2 For the Plaintiff:  
3 MR. BRADLEY A. MACLEAN  
4 MS. CYNTHIA W. MACLEAN  
5 Stites & Harbison  
6 1900 SunTrust Center  
7 424 Church Street  
8 Nashville, Tennessee 37219  
9 (615) 782-2237  
10  
11 For the Defendants:  
12 MS. STEPHANIE R. REEVERS  
13 Associate Deputy Attorney General  
14 MR. ARTHUR CROWNOVER, II  
15 Senior Counsel  
16 P. O. Box 20207  
17 Nashville, Tennessee 37202-0207  
18 (615) 741-7401  
19  
20  
21  
22  
23  
24  
25

325

COPY

1 INDEX

2 Page

3 INDEX OF EXAMINATIONS

4 DEFENDANTS' WITNESS: DR. BRUCE P. LEVY

5 Direct Examination by Mr. Crownover 327

6 Cross-Examination by Mr. MacLean 360

7 Redirect Examination by Mr. Crownover 406

8 Plaintiff's Closing Argument 416

9 Defendants' Closing Argument 429

10

11 INDEX OF EXHIBITS ADMITTED

12 No. 18 413

13 No. 19 413

14

15

16

17

18

19

20

21

22

23

24

25

326

1 MR. CROWNOVER: Your Honor, the  
2 defendant will call Dr. Bruce Levy.  
3 THE COURT: Dr. Levy, the  
4 procedure we are going to follow with you  
5 is, first, you will be administered an oath.  
6 After you take an oath, you'll be seated in  
7 the witness stand where the lawyers will ask  
8 you questions. Do you understand that the  
9 oath requires you to tell the truth?  
10 THE WITNESS: Yes, ma'am.  
11 THE COURT: Thank you.  
12 DR. BRUCE P. LEVY,  
13 was called as a witness, and after having  
14 been first duly sworn, testified as follows:  
15 DIRECT EXAMINATION  
16 QUESTIONS BY MR. CROWNOVER:  
17 Q Please state your name.  
18 A. My name is Bruce Phillip Levy.  
19 Q. Now, Dr. Levy, what is your  
20 educational background?  
21 A. I received a bachelor of science  
22 degree in chemistry at MIT, followed by my  
23 doctor of medicine degree from New York  
24 Medical College. After graduating medical  
25 school I attended a four-year residency in

327

1 anatomic and clinical pathology at the  
 2 University of Massachusetts Medical Center.  
 3 During my residency I served my final year  
 4 as chief resident and spent an additional  
 5 six months of training in the area of  
 6 clinical toxicology and therapeutic drug  
 7 monitoring. I then went on to do a one-year  
 8 fellowship in forensic pathology with the  
 9 New York City medical examiner's office.  
 10 After completing my training I sat  
 11 for and successfully passed a series of  
 12 examinations and am board certified by the  
 13 American Board of Pathology in anatomic  
 14 pathology, clinical pathology and forensic  
 15 pathology. Since that time I have continued  
 16 with continuing education on a regular basis  
 17 in various areas of pathology and forensics,  
 18 as well as more recently actually  
 19 participating as an instructor in many of  
 20 those settings.  
 21 Q. Dr. Levy, are you licensed to  
 22 practice medicine in the state of Tennessee?  
 23 A. I am.  
 24 Q. And for how long have you been so  
 25 licensed?

328

1 A. Since 1997.  
 2 Q. Now, what is your specialty again,  
 3 Dr. Levy?  
 4 A. Forensic pathology.  
 5 Q. Now, you were present yesterday  
 6 during the testimony of Dr. Heath, were you  
 7 not?  
 8 A. I was.  
 9 Q. And you were present for his entire  
 10 testimony, weren't you?  
 11 A. Yes, I was.  
 12 Q. Now, how does your field of expertise  
 13 differ from that of Dr. Heath?  
 14 A. Dr. Heath is an anesthesiologist. He  
 15 is specialized in a particular area of  
 16 medicine that concerns itself with putting  
 17 patients in a condition where they can be  
 18 properly operated on and also many  
 19 anesthesiologists deal with pain management.  
 20 I didn't know specifically, Dr. Heath didn't  
 21 mention that, but he may also be trained in  
 22 that area of anesthesiology.  
 23 As a forensic pathologist I'm much  
 24 more a generalist than Dr. Heath. I have to  
 25 understand all different areas of medicine

329

1 in order to be able to interpret lots of  
 2 different disease processes. And then my  
 3 training was focused down on the study of  
 4 injury patterns and disease patterns, and  
 5 the determination of the cause and manner of  
 6 death of individuals that are brought under  
 7 medical examiner jurisdiction.  
 8 Q. Dr. Levy, where are you presently  
 9 employed?  
 10 A. I'm presently employed in several  
 11 capacities. I'm the chief medical examiner  
 12 for the state of Tennessee, the county  
 13 medical examiner for the metropolitan  
 14 government of Nashville, Davidson County.  
 15 And I'm also employed as the president of a  
 16 private professional corporation, Forensic  
 17 Medical.  
 18 Q. Could you briefly describe your  
 19 duties as the county medical examiner for  
 20 Nashville, Davidson County?  
 21 A. My responsibility as the county  
 22 medical examiner is to investigate deaths  
 23 within Davidson County that fall under the  
 24 jurisdiction of the medical examiner. We  
 25 have approximately 3,000 deaths reported to

330

1 our office in a given year. Out of those  
 2 3,000 deaths, approximately 1,000 fall under  
 3 the jurisdiction of the medical examiner.  
 4 Those bodies would be brought to our  
 5 office. Before that if the death was  
 6 outside of a health care setting, we would  
 7 conduct a scene investigation at the  
 8 location of the death. When the bodies are  
 9 brought in a determination is made as to  
 10 whether a complete autopsy is required or a  
 11 lesser examination than a complete autopsy.  
 12 We autopsy for Davidson County approximately  
 13 725 persons in any given year.  
 14 Then we would order any additional  
 15 tests necessary, frequently consult with law  
 16 enforcement and other physicians and medical  
 17 personnel in order to put all that  
 18 information together and determine the cause  
 19 and manner of death of those people.  
 20 Q. Dr. Levy, what are your duties as the  
 21 chief medical examiner for the state of  
 22 Tennessee?  
 23 A. As the chief medical examiner my  
 24 responsibilities are to maintain the archive  
 25 of all the death investigations conducted by

331

1 all 95 county medical examiners and to  
 2 provide the county medical examiners, state,  
 3 county and local governments with  
 4 consultative expertise in forensic  
 5 pathology, as well as education. And we  
 6 conduct a large number of seminars  
 7 throughout the state on a yearly basis.  
 8 Q. Dr. Levy, how many employees do you  
 9 have?  
 10 A. We currently have 23 employees in our  
 11 office.  
 12 Q. Okay. Are there other physicians  
 13 that are on your staff?  
 14 A. Yes, there are.  
 15 Q. And how many are there?  
 16 A. There are currently five other  
 17 physicians on staff. Four of those  
 18 physicians are board certified pathologists  
 19 like myself. The fifth physician is a  
 20 fellow. We were recently about two years  
 21 ago accredited to actually train physicians  
 22 in forensic pathology and we have a  
 23 physician we are currently training in that  
 24 field.  
 25 Q. Now, how long have you been county

332

1 medical examiner?  
 2 A. Since 1997.  
 3 Q. And how long have you been chief  
 4 medical examiner for Tennessee?  
 5 A. Since 1998.  
 6 Q. Okay. Now, in your capacity in both  
 7 of these positions have you previously given  
 8 testimony in the courts of Davidson County  
 9 in that capacity?  
 10 A. I have.  
 11 Q. And you have familiarity with the way  
 12 that the Tennessee lethal injection protocol  
 13 is carried out?  
 14 A. I do.  
 15 Q. And how do you have that knowledge?  
 16 A. Several years ago when it became  
 17 apparent that an execution was likely to  
 18 occur in Tennessee, we were contacted by the  
 19 department of correction. I believe  
 20 specifically Warden Bell. And we sat down  
 21 with him and went over what our involvement  
 22 would be as the county medical examiner in  
 23 the county where those executions would be  
 24 carried out.  
 25 As part of that I went over the

333

1 procedures so in as far as they would relate  
 2 to what I would need to know as a forensic  
 3 pathologist. We toured the facilities where  
 4 the execution would be carried out so we  
 5 would gain an understanding of exactly what  
 6 might happen and what we would expect, and  
 7 then eventually made arrangements with the  
 8 warden to be available.  
 9 We are available on the prison  
 10 property at the time the execution is  
 11 carried out, though we do not witness the  
 12 execution, and then would remove the  
 13 prisoner from the facility after death was  
 14 declared and transport that person to the  
 15 medical examiner's office for an  
 16 examination.  
 17 Q. Now, have you reviewed what's been  
 18 called the Tennessee lethal injection  
 19 manual?  
 20 A. I have.  
 21 Q. And have you also reviewed any  
 22 interrogatory responses by Warden Bell in  
 23 this case?  
 24 A. I believe I did get to see them, yes.  
 25 Q. Have you read other literature on

334

1 lethal injections?  
 2 A. Well, as part of the preparation for  
 3 our involvement in any executions that might  
 4 occur in Tennessee, I contacted medical  
 5 examiners throughout the country who had  
 6 been involved in the process, and had gotten  
 7 their input into how they were involved, how  
 8 involved were they, what they did and what  
 9 they had observed based on their examination  
 10 of prisoners who had been executed by a  
 11 variety of methods.  
 12 Q. Now, what drugs are administered in  
 13 the Tennessee lethal injection protocol?  
 14 A. The drugs are sodium pentothal,  
 15 Pavulon and potassium chloride.  
 16 Q. Do these three drugs have therapeutic  
 17 uses in medicine?  
 18 A. They do.  
 19 Q. Is it legal to prescribe and dispense  
 20 these medications in Tennessee, to your  
 21 knowledge?  
 22 A. They all are, yes.  
 23 Q. Are there effects well-known?  
 24 A. Yes. The effects are very  
 25 well-known. These drugs have been around

335

1 for many, many years.  
 2 Q. Okay. Describe the use of sodium  
 3 pentothal. What is it?  
 4 A. Sodium pentothal is an  
 5 ultrafast-acting barbiturate. You've  
 6 probably heard this already. It is most  
 7 commonly used in the induction of  
 8 anesthesia. Its basic mechanism of action is  
 9 to depress the central nervous system and  
 10 can render a person unconscious. It also  
 11 acts as a respiratory depressant. It  
 12 depresses the breathing of a person and in  
 13 sufficient doses can actually stop a  
 14 person's breathing.  
 15 Q. Describe the use of sodium pentothal  
 16 in the execution context.  
 17 A. According to the protocol five grams  
 18 of sodium pentothal are injected into the  
 19 condemned prisoner by an IV push mechanism.  
 20 Q. Do you have an opinion as to whether  
 21 that dosage of sodium pentothal is lethal?  
 22 A. I do.  
 23 Q. And what is your opinion?  
 24 A. It's my opinion that that dosage of  
 25 sodium pentothal in and of itself would be

336

1 lethal to an average human being.  
 2 Q. And if that dosage was administered  
 3 to a human being, how long would it take the  
 4 human being to become unconscious?  
 5 A. Under five seconds.  
 6 Q. Do you have an opinion as to whether  
 7 a condemned prisoner given five grams of  
 8 sodium pentothal would regain consciousness?  
 9 A. I do.  
 10 Q. And what is your opinion?  
 11 A. It's my opinion that the condemned  
 12 prisoner would never regain consciousness.  
 13 The respirations would be so depressed that  
 14 the person would pass into a coma and expire  
 15 as a result before they would regain  
 16 consciousness.  
 17 Q. Now, describe the use of Pavulon.  
 18 What is that?  
 19 A. Pavulon is a neuromuscular blocking  
 20 agent. It acts to effectively block the  
 21 nerve impulses from getting the muscles to  
 22 actually contract.  
 23 Q. And how much Pavulon is administered  
 24 in the Tennessee lethal injection protocol?  
 25 A. I think my recollection is it's

337

1 either 100 or 200 milligrams. I can't  
 2 recall exactly.  
 3 Q. Now, are you aware of the use of  
 4 Pavulon in euthanasia?  
 5 A. Yes, I am.  
 6 Q. Okay. Tell us about that.  
 7 A. As a medical examiner we frequently  
 8 see persons who have been euthanized as part  
 9 of medical treatment. It's an area that's  
 10 very grey in medicine. There are many  
 11 people who are terminally ill who may have  
 12 suffered irreversible brain damage and many  
 13 of those people are typically given large  
 14 doses of some kind of an opiate pain  
 15 reliever like morphine to relieve their  
 16 pain.  
 17 That drug also has the ability to  
 18 depress respirations and cause death by  
 19 respiratory failure. I'm aware since I've  
 20 been in Nashville of at least one case where  
 21 a physician also administered Pavulon to a  
 22 patient to hasten the death of that patient.  
 23 Q. Now, describe the use of potassium  
 24 chloride. What is that drug?  
 25 A. Well, potassium chloride is a salt

338

1 and it is typically used in medical practice  
 2 to adjust potassium levels in people whose  
 3 potassium levels for one reason or another  
 4 are not within the proper range. And not  
 5 having potassium in the proper range can be  
 6 fatal to a person, but in large doses  
 7 potassium chloride is fatal. It works on  
 8 the heart to actually stop the heart from  
 9 beating.  
 10 Q. Do you recall what the dosage of  
 11 potassium chloride is in the Tennessee  
 12 lethal injection protocol?  
 13 A. I believe it's 200 milligram or milli  
 14 equivalence of potassium chloride.  
 15 Q. Now, is saline used in the Tennessee  
 16 lethal injection protocol?  
 17 A. It is.  
 18 Q. And for what purpose is the saline  
 19 used, to your knowledge?  
 20 A. I have an opinion on why it's used.  
 21 I don't know why it's used.  
 22 Q. What is your opinion as to why it's  
 23 used?  
 24 A. My opinion would be that the saline  
 25 is used to flush out the line between the

339

1 different drugs to make sure that those  
 2 drugs have completely passed into the  
 3 person's system before the next drug is  
 4 administered.  
 5 Q. Now, Dr. Levy, do you have an opinion  
 6 as a medical doctor as to whether a  
 7 condemned prisoner after having been given  
 8 these three drugs in the dosages you stated,  
 9 the sodium pentothal, the Pavulon, and the  
 10 potassium chloride, would the condemned  
 11 prisoner perceive any feeling or pain from  
 12 the subsequent administered -- well, strike  
 13 that question. I got lost myself.  
 14 In your opinion, Dr. Levy, after the  
 15 administration to a condemned prisoner of  
 16 the sodium pentothal in the dosage that you  
 17 stated was in the Tennessee lethal injection  
 18 protocol, would that condemned prisoner feel  
 19 any pain subsequent to the administration of  
 20 the Pavulon and the potassium chloride?  
 21 A. In my opinion he would not.  
 22 Q. Now, you're familiar as a medical  
 23 doctor with the procedure of inserting an IV  
 24 catheter, aren't you?  
 25 A. Yes, I am.

340

1 Q. And describe how it's done briefly.  
 2 A. Well, very briefly, first, the arm or  
 3 other part of the body that you'd like to  
 4 insert an IV is examined in order to find  
 5 the best place to make the attempt to insert  
 6 the IV catheter. A tourniquet would be  
 7 applied to cause the vein to become engorged  
 8 which would make the intravenous stick  
 9 easier. The area would be wiped with  
 10 something like alcohol to sterilize the area  
 11 and then the catheter with the needle would  
 12 be inserted into the vein. And there are  
 13 different types of catheters and processes  
 14 and it would go through the skin and into  
 15 the vein.  
 16 After you got that into the vein, you  
 17 would ensure that it's in the vein by  
 18 withdrawing a small quantity of blood making  
 19 sure you're actually in the vascular  
 20 chamber. Having confirmed that, you would  
 21 hook up the IV tubing and then tape down the  
 22 catheter to secure it in place.  
 23 Q. In your opinion is it difficult to  
 24 insert an IV?  
 25 A. No, it is not difficult to insert an

341

1 IV.  
 2 Q. In your opinion can there be  
 3 complications when an IV is inserted?  
 4 A. Yes. There are complications to  
 5 every medical procedure.  
 6 Q. And what sort of complications can  
 7 there be when an IV is inserted?  
 8 A. Well, you can miss the vein. You can  
 9 not get the catheter in the proper location.  
 10 You can actually instead of getting it into  
 11 the vein, perforate through the vein and out  
 12 the other side of the vein. You could get  
 13 it stuck in the wall of the vein where it's  
 14 not, again, in the vein itself. You can  
 15 cause bleeding as a result of that. You can  
 16 get swelling in the area. I mean, those are  
 17 basically the complications at the  
 18 intravenous site.  
 19 Q. Are there certain persons upon whom  
 20 an IV is attempted to be inserted that there  
 21 would be a problem?  
 22 A. Well, certain people do tend to have  
 23 more difficulty in having IV's inserted than  
 24 others, yes.  
 25 Q. If a person were, for example, an IV

342

1 drug user, would that be tough to insert an  
 2 IV catheter in that person's arm?  
 3 A. It can be. If the IV drug abuser has  
 4 been using contaminated needles to inject  
 5 intravenous drugs, that can cause scarring  
 6 of the veins and that would make it very  
 7 difficult to insert an intravenous catheter  
 8 into that same area of the vein.  
 9 Q. In your opinion, Doctor, would it be  
 10 reasonable to assume that a paramedic EMT  
 11 with training in inserting IV catheters  
 12 would not have difficulty inserting an IV  
 13 catheter on a person who is not an IV drug  
 14 abuser?  
 15 A. I would say that not only they would  
 16 have no trouble, but they do it all the time  
 17 here in Tennessee.  
 18 Q. Dr. Levy, are you familiar with the  
 19 surgical procedure called a cutdown?  
 20 A. I am.  
 21 Q. What is a cutdown?  
 22 A. A cutdown is a minor surgical  
 23 procedure where an incision is made in the  
 24 skin to directly visualize a large vein,  
 25 such as one in the upper leg or in the

343

1 shoulder or neck area. And then to directly  
 2 insert the catheter into the vein once you  
 3 have visualized it.  
 4 Q. In your medical training have you  
 5 received training in making a cutdown?  
 6 A. I did.  
 7 Q. Now, why is the cutdown used?  
 8 A. Well, the cutdown is used in cases  
 9 where they are unable to --  
 10 MR. MACLEAN: Your Honor, I'm  
 11 going to object to this. He's talking about  
 12 the present tense. I think Dr. Levy did  
 13 receive some training when he was in medical  
 14 school some years ago, not since that time.  
 15 And he does not perform cutdowns and he's  
 16 not in a venue where cutdowns are performed  
 17 in his current practice.  
 18 THE COURT: The Court will sustain  
 19 the objection. If you can ask the Doctor  
 20 some validation questions so I can assess  
 21 the reliability of his testimony concerning  
 22 cutdowns, you know, in terms of his  
 23 familiarity with recent ones, his training.  
 24 That way I can assess how much weight I  
 25 should put on his testimony.

344

1 BY MR. CROWNOVER:  
 2 Q. Doctor, when did you receive training  
 3 in performing cutdown procedures?  
 4 A. It was during medical school. That  
 5 was between the years 1984 and 1988.  
 6 Q. Okay. Now, to your knowledge is the  
 7 cutdown procedure still used by medical  
 8 doctors?  
 9 A. It is. I see it frequently on bodies  
 10 that come to the medical examiner's office.  
 11 Q. Okay. And you've seen it frequently  
 12 in the last year, haven't you?  
 13 A. Yes, I have.  
 14 Q. Okay. Now, in your opinion is a  
 15 cutdown a complicated procedure for a  
 16 medical doctor to perform?  
 17 A. No. It's a fairly simple procedure.  
 18 You need to have a knowledge of anatomy so  
 19 you know where to cut and you know what  
 20 structures are in the area so you're  
 21 unlikely to make an error. And then you  
 22 just need to use, on a living person, basic  
 23 sterile technique to avoid the possibility  
 24 of any type of infection.  
 25 Q. Now, Dr. Levy, you testified earlier

345

1 that you heard all of Dr. Heath's testimony  
 2 yesterday. Correct?  
 3 A. Yes, I do.  
 4 Q. And you heard Dr. Heath's opinion  
 5 that the administration of the potassium  
 6 chloride of the three drugs that are to be  
 7 administered in the Tennessee lethal  
 8 injection protocol would be the cause of  
 9 death if all three drugs were administered.  
 10 Did you hear that testimony yesterday?  
 11 A. Yes. I believe that was his  
 12 testimony.  
 13 Q. Now, in your opinion is that correct?  
 14 A. No. I would disagree with Dr. Heath  
 15 in his opinion that the cause of death of a  
 16 person who undergoes lethal injection is  
 17 merely potassium chloride.  
 18 Q. And what is your opinion as to a  
 19 person that is administered the three drugs  
 20 that are in the Tennessee lethal injection  
 21 protocol as to cause of death?  
 22 A. My opinion is, and it was the cause  
 23 of death I ruled on Mr. Coe, was a  
 24 combination of the three medications  
 25 administered as part of the lethal injection

346

1 protocol.  
 2 Q. Now, to your knowledge and based upon  
 3 what you heard from Dr. Heath yesterday does  
 4 Dr. Heath have any expertise in determining  
 5 cause of death?  
 6 A. Not that he indicated.  
 7 Q. Now, in your capacity as the county  
 8 medical examiner in Nashville, Davidson  
 9 County, did you conduct the autopsy of  
 10 Robert Coe?  
 11 A. I did.  
 12 Q. And who was Mr. Coe?  
 13 A. Mr. Coe was a prisoner in the  
 14 Tennessee Department of Correction who had  
 15 been sentenced to death many years ago and  
 16 was executed in April of -- I can't recall  
 17 if it was 1999 or 2000.  
 18 Q. Okay. Well, let me refer you to --  
 19 A. I believe it was 2000.  
 20 Q. -- plaintiff's Exhibit 16. Your  
 21 Honor, I believe it's the large notebook.  
 22 THE COURT: Yes, sir. That's the  
 23 large notebook.  
 24 BY MR. CROWNOVER:  
 25 Q. And, Dr. Levy, if you would look at

347

1 pages starting on 567, I believe, is the  
 2 autopsy report through Page 580 and they're  
 3 numbered in the lower right-hand corner.  
 4 A. Yes. I do recognize this as the  
 5 autopsy report on Robert Glenn Coe.  
 6 Q. Now, why did you perform the  
 7 appearance of Mr. Coe?  
 8 A. There were several reasons I  
 9 performed the autopsy on Mr. Coe. First,  
 10 under the Medical Examiner Act in the  
 11 Tennessee Code the death of all prisoners  
 12 needs to be reported to the medical examiner  
 13 in the county where that death occurred,  
 14 regardless of the reason for that prisoner's  
 15 death.  
 16 Secondly, Mr. Coe's death represented  
 17 a homicide, and under Tennessee law the  
 18 medical examiner is authorized to order an  
 19 autopsy on any victim of a homicide  
 20 regardless of the reasons behind that  
 21 homicide.  
 22 Third, as the county medical examiner  
 23 for Davidson County it's my opinion that if  
 24 prisoners are to be executed in Davidson  
 25 County, it is my responsibility as the

348

1 county medical examiner to ensure that that  
 2 death was carried out according to law and  
 3 to document anything that would be usual or  
 4 unusual in those circumstances.  
 5 Q. Dr. Levy, do you have an opinion as  
 6 to whether the execution of Mr. Coe was  
 7 carried out according to law?  
 8 A. I do.  
 9 Q. And what is your opinion?  
 10 A. My opinion is that Mr. Coe's  
 11 execution was carried out according to the  
 12 law.  
 13 Q. And what was the cause of Mr. Coe's  
 14 death, in your opinion?  
 15 MR. MACLEAN: Your Honor, first of  
 16 all, I want to object to that. That calls  
 17 for a legal conclusion and I would move to  
 18 strike that testimony.  
 19 THE COURT: I don't understand why  
 20 you say that calls for a legal conclusion.  
 21 He was asked his opinion as to cause of  
 22 death and I believe he's going to tell us a  
 23 medical reason. So explain to me a little  
 24 more what you're objecting to.  
 25 MR. MACLEAN: I believe that the

349

1 testimony, the proffered testimony was that  
 2 in his opinion the execution was carried out  
 3 according to law. That is the testimony I'm  
 4 objecting to. That calls for a legal  
 5 conclusion whether it went according to law  
 6 or not. We don't know what law he's  
 7 referring to, whether it's constitutional  
 8 law or whatever. That's the issue in this  
 9 case. And I object to that as calling for a  
 10 legal conclusion.  
 11 THE COURT: The Court sustains the  
 12 objection. You need to ask him questions  
 13 that would give the Court some idea of his  
 14 familiarity with the standard that he says  
 15 it adheres to. It is a bit -- I don't know  
 16 how to gage that testimony because I'm not  
 17 sure exactly what he's referring to, whether  
 18 the humane aspect of the law or other  
 19 things.  
 20 So the Court sustains the  
 21 objection. If you want to ask him about  
 22 that, you can take several questions, I  
 23 think.  
 24 MR. CROWNOVER: Thank you, Your  
 25 Honor.

350

1 BY MR. CROWNOVER:  
 2 Q. Now, Dr. Levy, what standard are you  
 3 talking about when you said the execution  
 4 was according to law?  
 5 A. It's based on my review of the  
 6 execution protocol in Tennessee,  
 7 specifically regarding lethal injection, and  
 8 the results of the autopsy and what I would  
 9 anticipate based on my review of that  
 10 protocol, as well as consulting with other  
 11 medical examiners in states where this has  
 12 occurred many times.  
 13 Q. And as a result of your discussions  
 14 with the other medical examiners and  
 15 reviewing the Tennessee lethal injection  
 16 protocol, did you find in performing the Coe  
 17 autopsy that there were any deviations from  
 18 the protocol in what should have occurred?  
 19 A. I did not find any.  
 20 Q. Now, what was the cause of death on  
 21 the autopsy report here?  
 22 A. I ruled the cause of death of Mr. Coe  
 23 an acute intoxication by the combined  
 24 effects of pentothal, Pavulon and potassium.  
 25 Q. And is that on the first page of the

351



1 autopsy report?  
 2 A. It is.  
 3 Q. Is that delineated as Page 0567 in  
 4 this exhibit?  
 5 A. It is.  
 6 Q. Now, let's look at Page 2 of the  
 7 autopsy report which is 0568 in Exhibit 16.  
 8 What is the, at the top there, the time of  
 9 death that you put in the report?  
 10 A. The time of death is reported as  
 11 April 19th, 2000, at 1:37 a.m.  
 12 Q. And then there's a part there for a  
 13 blank for the time of injury or illness, and  
 14 what is stated there?  
 15 A. April 19th, 2000, at 1:20 a.m.  
 16 Q. Okay. Now, have you since preparing  
 17 the autopsy report also reviewed a document  
 18 that's called the chronological execution  
 19 report?  
 20 A. Yes, I have.  
 21 Q. Okay. If I could refer you to Page  
 22 0584 in that same Exhibit 16, please.  
 23 A. I found it.  
 24 Q. Now, what is this document, to your  
 25 knowledge?

352

1 A. This appears to be the chronological  
 2 execution report for Robert Glenn Coe.  
 3 Q. Okay. And what sort of entries are  
 4 there on this document of times?  
 5 A. The entries begin at 1:07 a.m. and  
 6 end at 1:55 a.m., and list a series of steps  
 7 regarding the execution process.  
 8 Q. Okay. And what time does it say that  
 9 the lethal injection chemicals were  
 10 injected?  
 11 A. It says 1:32 a.m.  
 12 Q. And what time was Mr. Coe examined by  
 13 the physician?  
 14 A. 1:36 a.m.  
 15 Q. And what time was Mr. Coe pronounced  
 16 dead?  
 17 A. 1:37 a.m.  
 18 Q. Now, in performing the autopsy of the  
 19 body of Robert Coe were a number of tests  
 20 performed?  
 21 A. Yes.  
 22 Q. And did your tests in the autopsy of  
 23 Mr. Coe's body reveal the levels of sodium  
 24 pentothal?  
 25 A. It did.

353

1 Q. And what were those levels?  
 2 A. Sodium pentothal was present in Mr.  
 3 Coe's body at the level of 10,200 nanograms  
 4 per milliliter.  
 5 Q. And what page is that on in Exhibit  
 6 16?  
 7 A. That is on Page 579.  
 8 Q. Now, was there also a test performed  
 9 for pentobarbital in Mr. Coe's body?  
 10 A. Yes, it was.  
 11 Q. And what page is that on?  
 12 A. That is on Page 577.  
 13 Q. And what was the level of  
 14 pentobarbital?  
 15 A. 1,090 nanograms per milliliter.  
 16 Q. Now, these amounts of sodium  
 17 pentothal - what is pentobarbital, first?  
 18 A. Pentobarbital is a short-acting  
 19 barbiturate that is a medication in and of  
 20 itself, but it is also a metabolite of  
 21 sodium pentothal.  
 22 Q. Now, what is a metabolite, Dr. Levy?  
 23 A. What that means is that when a person  
 24 is administered sodium pentothal the body  
 25 acts upon the sodium pentothal and

354

1 chemically converts it into pentobarbital.  
 2 Q. And does the conversion to  
 3 pentobarbital, does the pentobarbital work  
 4 as if it were injected itself?  
 5 A. Yes. It is chemically identical and  
 6 has the exact same effect as if you were  
 7 administered pentobarbital as a drug itself.  
 8 Q. Now, were the levels of sodium  
 9 pentothal and pentobarbital that you found  
 10 in Mr. Coe's body, were they therapeutic  
 11 concentrations?  
 12 A. In the case of pentobarbital it was a  
 13 therapeutic concentration. In the case of  
 14 sodium pentothal it was what I would call an  
 15 overlap area. It is not only in the  
 16 therapeutic concentrations of sodium  
 17 pentothal, but is also within the toxic and  
 18 lethal levels for that medication.  
 19 Q. So you found enough sodium pentothal  
 20 in Mr. Coe's body to be lethal. Is that  
 21 right?  
 22 A. Yes, in certain circumstances it  
 23 could be lethal.  
 24 Q. Now, what does therapeutic mean, just  
 25 the meaning of that word?

355

1 A. The definition of therapeutic is  
 2 provided in the literature which provides  
 3 these levels is the level that we would find  
 4 in a human being who has been administered a  
 5 dose of this medication that produces the  
 6 desired effect of that medication.  
 7 Q. Do you have an opinion as to whether  
 8 Mr. Coe would have been rendered unconscious  
 9 after the administration of the sodium  
 10 pentothal to him?  
 11 A. Yes, I do.  
 12 Q. And what is your opinion?  
 13 A. My opinion is Mr. Coe was rendered  
 14 unconscious within seconds of being  
 15 administered the sodium pentothal.  
 16 Q. And do you have an opinion as to when  
 17 Mr. Coe would have died after the  
 18 administration of the three combined drugs?  
 19 A. Well, he was pronounced dead at 1:37  
 20 a.m., so he died within five minutes of the  
 21 actual injection of the chemicals beginning.  
 22 Q. And if the chemicals were injected  
 23 according to Tennessee lethal injection  
 24 protocol into Mr. Coe's body, do you have an  
 25 opinion as to whether Mr. Coe would have

356

1 been aware of the effects of the Pavulon or  
 2 the potassium chloride?  
 3 A. I do have an opinion on that.  
 4 Q. And what is your opinion?  
 5 A. It's my opinion based on the levels  
 6 that were present in his body at the time of  
 7 his death that he would never have regained  
 8 consciousness or had any awareness of  
 9 anything that happened from the moment he  
 10 lost consciousness after the sodium  
 11 pentothal was administered.  
 12 Q. Do you have an opinion as to whether  
 13 Mr. Coe experienced any pain or discomfort  
 14 as a result of receiving any of these three  
 15 drugs during his execution?  
 16 A. I do have an opinion.  
 17 Q. And what is your opinion?  
 18 A. It's my opinion that he did not,  
 19 again, once the sodium pentothal took effect  
 20 until the time that he was dead.  
 21 Q. Do you have an opinion from the tests  
 22 and examination that you performed on Mr.  
 23 Coe's body whether there were any problems  
 24 or complications during the lethal injection  
 25 procedure?

357

1 A. I only found what I could  
 2 characterize as one minor complication of  
 3 the procedure, and that is I found an  
 4 additional dermal puncture of the right arm  
 5 meaning that they had made two attempts to  
 6 put an intravenous catheter in the right arm  
 7 before they were successful.  
 8 Q. And you found no other anomalies or  
 9 problems?  
 10 A. No. As part of our investigation  
 11 after Mr. Coe's death we went into the death  
 12 chamber, we examined exactly the condition  
 13 his body was in at that time. His body came  
 14 to the medical examiner's office with all of  
 15 the catheters and intravenous tubing and  
 16 bottles and syringes in place as they were  
 17 the moment of death. And we examined each  
 18 and every one of them at the medical  
 19 examiner's office.  
 20 Q. Well, did you find any problems with  
 21 any of the IV tubing, syringes or bags?  
 22 A. No, I did not.  
 23 Q. Did it work at the time you brought  
 24 it to the medical examiner's office in  
 25 pushing fluids through?

358

1 A. It did. When we removed the  
 2 catheters, the intravenous catheter started  
 3 flowing again.  
 4 Q. Have you since April of 2000 had  
 5 occasion to examine the IV tubing and other  
 6 apparatus from the Coe execution?  
 7 A. Yes, I did.  
 8 Q. And when did you subsequently examine  
 9 that material?  
 10 A. I examined that this Wednesday  
 11 morning.  
 12 Q. So two days ago?  
 13 A. Yes.  
 14 Q. And what did you find at that point?  
 15 A. When I released all of the valves  
 16 that had closed off the flow of fluid  
 17 through the IV tubing and hung the bags,  
 18 both bags from both arms, catheters were  
 19 flowing freely.  
 20 Q. So some three years later they're  
 21 still working?  
 22 A. Yes, they are.  
 23 MR. CROWNOVER: Your Honor, may I  
 24 have a moment?  
 25 THE COURT: Yes, sir.

359

1 MR. CROWNOVER: That's all, Your  
 2 Honor.  
 3 THE COURT: Thank you.  
 4 CROSS-EXAMINATION  
 5 QUESTIONS BY MR. MACLEAN:  
 6 Q. Dr. Levy, I'm Brad MacLean. We've  
 7 met before.  
 8 A. Yes, we have.  
 9 Q. I took your deposition a few days  
 10 ago.  
 11 A. Yes. I believe on Tuesday of this  
 12 week.  
 13 Q. Right. Now, basically, your job as  
 14 medical examiner, just to get it straight,  
 15 is to investigate deaths, to perform  
 16 autopsies and ultimately to determine the  
 17 cause and manner of death. And you would  
 18 also be involved in the identification of  
 19 unknown persons. Correct?  
 20 A. That's correct.  
 21 Q. As chief medical examiner of the  
 22 state you also, as you said, administer the  
 23 state archive, but that doesn't involve  
 24 working with people. Correct?  
 25 A. That's correct. Aside from staff

360

1 that I would work with to do that job.  
 2 Q. Right. All right. None of your  
 3 duties as a medical examiner involve working  
 4 with or treating live human beings.  
 5 Correct?  
 6 A. There are rare instances where I  
 7 would examine a living human being, but it  
 8 is a rare occurrence.  
 9 Q. And, in fact, you do not work with or  
 10 treat live human beings, do you?  
 11 A. No. I do not treat living human  
 12 beings anymore.  
 13 Q. Okay. Just to get the time frame  
 14 correct, you graduated from medical school  
 15 in 1988. Correct?  
 16 A. That is correct.  
 17 Q. And you were in residency from 1988  
 18 to 1992. Correct?  
 19 A. Yes.  
 20 Q. And then had you a one-year  
 21 internship from 1992 to 1993. Correct?  
 22 A. I did.  
 23 Q. All right. Now, in medical school  
 24 during the second two years you went through  
 25 several rotations in several different

361

1 disciplines as medical students ordinarily  
 2 do. Correct?  
 3 A. That's correct.  
 4 Q. And you would spend six to eight to  
 5 twelve weeks per rotation in the various  
 6 disciplines. Correct?  
 7 A. Yes.  
 8 Q. And all of those rotations were under  
 9 the supervision of treating physicians.  
 10 Correct?  
 11 A. They were.  
 12 Q. During your four-year residency you  
 13 would obtain cytology specimens and you  
 14 would perform needle-type puncture biopsies  
 15 in cytology procedures, and you would also  
 16 participate in clinical correlations where  
 17 you would see the patients, in addition to  
 18 reviewing their blood smears or reviewing  
 19 other tests. Correct?  
 20 A. That's correct.  
 21 Q. And you would see the patients only  
 22 for the purpose of comparing samples with  
 23 the patients themselves. Correct?  
 24 A. That's correct.  
 25 Q. Okay. And during the first two years

362

1 of your residency you spent about two months  
 2 in total working with patients in that  
 3 respect. Correct?  
 4 A. That was an approximate number, yes.  
 5 Q. And then for the second two years of  
 6 your residency you would spend another two  
 7 months total with patients in that respect?  
 8 A. That's correct.  
 9 Q. Comparing specimens with the actual  
 10 patient?  
 11 A. Yes.  
 12 Q. Okay. But during that period of time  
 13 you were never the treating physician, were  
 14 you?  
 15 A. No, I was not.  
 16 Q. Okay. And during that period of time  
 17 you never administered any medication, did  
 18 you?  
 19 A. I did not, aside from local  
 20 anesthetics if we were doing a needle-type  
 21 puncture procedure.  
 22 Q. And during the following year  
 23 internship in forensic pathology you never  
 24 worked with live patients?  
 25 A. I did not.

363

1 Q. Okay. And since that time, 1993, you  
 2 have worked with probably fewer than ten  
 3 live patients. Correct?  
 4 A. That's correct.  
 5 Q. And then only to examine processes of  
 6 death, to consult with the treating  
 7 physician or just to gain some knowledge  
 8 about the processes leading up to death.  
 9 Correct?  
 10 A. That and injury patterns, yes.  
 11 Q. Okay. You're only training and  
 12 experience with IV's was in medical school  
 13 some 15 years ago. Correct?  
 14 A. Correct.  
 15 Q. You have never yourself administered  
 16 sodium pentothal, have you?  
 17 A. I have not.  
 18 Q. You've never yourself administered  
 19 Pavulon, have you?  
 20 A. I have not.  
 21 Q. You've never received any training in  
 22 euthanasia, have you?  
 23 A. No, I have not.  
 24 Q. You are not aware of whether or not  
 25 there is a modern state of the art for

364

1 euthanasia, are you?  
 2 A. No, I am not.  
 3 Q. Now, you are aware that Oregon  
 4 permits euthanasia on humans, but you have  
 5 never directly studied how they perform  
 6 euthanasia in Oregon. Correct?  
 7 A. No, I haven't studied it.  
 8 Q. And you are not aware of other parts  
 9 of the world where euthanasia on human  
 10 beings might be allowed, are you?  
 11 A. I am not.  
 12 Q. You have no familiarity with the  
 13 state of the art of euthanasia on animals or  
 14 in veterinary science, do you?  
 15 A. I do not.  
 16 Q. You have made no study of lethal  
 17 injection protocols in other states besides  
 18 Tennessee, have you?  
 19 A. I have not.  
 20 Q. Okay. Let me ask you some questions  
 21 now about your relationship to the Tennessee  
 22 lethal injection protocol. In connection  
 23 with executions in Tennessee your only role  
 24 is to perform the autopsy after the fact,  
 25 after the execution has been performed.

365

1 Correct?  
 2 A. That would include taking possession  
 3 of the body at the prison and transporting  
 4 it to the medical examiner's office and  
 5 doing a scene investigation at the  
 6 execution.  
 7 Q. Okay. And then to certify cause and  
 8 manner of death on the death certificate.  
 9 Correct?  
 10 A. That's correct.  
 11 Q. Okay. But you are not present during  
 12 the actual performance of the execution, are  
 13 you?  
 14 A. Well, we have staff, a physician,  
 15 which could be me, and an investigator on  
 16 the prison grounds, but we are not in a  
 17 position to view any part of the execution.  
 18 Q. And you did not participate in the  
 19 formulation of the lethal injection protocol  
 20 in Tennessee, did you?  
 21 A. No, I did not.  
 22 Q. And you were never consulted in the  
 23 formulation of the lethal injection protocol  
 24 in Tennessee, were you?  
 25 A. I was not.

366

1 Q. And you have no knowledge of how the  
 2 lethal injection protocol was devised or  
 3 formulated in Tennessee?  
 4 A. I do not, aside from what I heard  
 5 earlier today.  
 6 Q. And you don't know when this basic  
 7 protocol was developed originally?  
 8 A. I do not.  
 9 Q. You don't know whether there have  
 10 been any changes in the basic underlying  
 11 protocol involving these three drugs since  
 12 it was originally developed, do you?  
 13 A. I do not.  
 14 Q. You don't know whether there has been  
 15 any effort by anybody in the country in any  
 16 state that has lethal injection to change  
 17 the protocol to keep it up with developing  
 18 knowledge or techniques in the field of  
 19 euthanasia, do you?  
 20 A. I do not.  
 21 Q. You are not in a position to have an  
 22 opinion on whether the protocol in Tennessee  
 23 is a state of the art protocol taking into  
 24 account modern medical knowledge and  
 25 technique, are you?

367

1 A. I am not.  
 2 Q. You have never participated --  
 3 THE COURT: Let me ask you a  
 4 question. Did I hear correctly, you said  
 5 state of the art? Can you repeat that  
 6 question for me --  
 7 MR. MACLEAN: Yes.  
 8 THE COURT: -- to make sure that I  
 9 -- were your words state of the art?  
 10 BY MR. MACLEAN:  
 11 Q. Okay. You are not in a position to  
 12 have an opinion on whether the protocol in  
 13 Tennessee is a, quote, state of the art --  
 14 THE COURT: Thank you.  
 15 BY MR. MACLEAN:  
 16 Q. -- protocol taking into account  
 17 modern medical knowledge and technique?  
 18 A. And I answered that I am not in that  
 19 position.  
 20 THE COURT: Yes. Thank you.  
 21 BY MR. MACLEAN:  
 22 Q. You have never participated in an  
 23 execution in any manner?  
 24 A. I have not.  
 25 Q. You have never been trained to

368

1 participate in a lethal injection?  
 2 A. I have not.  
 3 Q. You have never observed an execution?  
 4 A. No, I have not.  
 5 Q. If a serious problem were to arise  
 6 during the lethal injection protocol during  
 7 the actual performance of an execution, you  
 8 would not be the person who would be called  
 9 upon to intervene, would you, in Tennessee?  
 10 A. Well, not that I would expect to.  
 11 Though, if I was on site as a physician and  
 12 my assistance was required, I would  
 13 certainly render whatever assistance I  
 14 could.  
 15 Q. But you have never discussed with  
 16 anybody the possibility of being called upon  
 17 to assist if a complication were to arise?  
 18 A. No. We specifically discuss that  
 19 other personnel would be available to  
 20 perform that function, if necessary.  
 21 Q. Okay. I'd like to read to you  
 22 portions of your deposition testimony. This  
 23 is on Page 31 beginning at Line 6.  
 24 If a serious problem were to arise  
 25 during the lethal injection protocol during

369

1 the actual performance of an execution, you  
 2 would not be the person who would be called  
 3 to intervene?  
 4 Answer: No, I would not.  
 5 A. That's correct.  
 6 Q. Today if by chance you were called  
 7 upon to perform a cutdown, it would be your  
 8 preference that someone else would do it  
 9 instead. Correct?  
 10 A. Yes. I believe as I testified in my  
 11 deposition, in an emergency setting I would  
 12 do whatever I could to assist, but if other  
 13 personnel were there, I would certainly  
 14 defer to them.  
 15 Q. That's because if somebody else has  
 16 had more experience more recently than you  
 17 have, they're going to be the better person  
 18 to do it. Correct?  
 19 A. That's correct.  
 20 Q. Because the more you have practiced a  
 21 procedure and the more recently you've  
 22 practiced the procedure, the better you  
 23 technically should be able to perform that  
 24 procedure. Correct?  
 25 A. That is correct.

370

1 Q. And that's because there is a risk of  
 2 complication in the procedure. Correct?  
 3 A. There is.  
 4 Q. And if an emergency medical problem  
 5 were to arise during the course of an  
 6 execution, if for example during a cutdown a  
 7 nerve were severed or an artery were  
 8 punctured that might cause excessive  
 9 bleeding, you would prefer to yield to  
 10 another physician who would have greater  
 11 expertise. Correct?  
 12 A. I would.  
 13 Q. Isn't the more common method of  
 14 reaching a central line, more common than  
 15 cutdown, the percutaneous method that Dr.  
 16 Heath described?  
 17 A. Yes, it is.  
 18 Q. Now, your office in an execution does  
 19 not actually declare death?  
 20 A. We do not.  
 21 Q. Now, back in medical school you  
 22 learned something about the drugs used in  
 23 lethal injection. Correct?  
 24 A. Correct.  
 25 Q. You learned about the preparation and

371

1 handling of these drugs just in therapeutic  
 2 settings. Correct?  
 3 A. Correct.  
 4 Q. But that was between 15 and 20 years  
 5 ago correct?  
 6 A. Yes, it was.  
 7 Q. And since that time you've had no  
 8 experience in the handling or preparation of  
 9 these drugs?  
 10 A. Not in the handling or preparation of  
 11 them.  
 12 Q. So your knowledge today, for example,  
 13 of how to prepare or mix sodium pentothal is  
 14 just a general type of knowledge. Correct?  
 15 A. Correct.  
 16 Q. And sodium pentothal typically  
 17 arrives in vials that need to be diluted and  
 18 there would be a ratio of sterile water or  
 19 saline that would be used to mix the sodium  
 20 pentothal to an appropriate level. Correct?  
 21 A. That is correct.  
 22 Q. Calculations would need to be made as  
 23 to how much to administer to a patient based  
 24 upon their size and weight and purpose of  
 25 administration. Correct?

372

1 injection. Correct?  
 2 A. That's correct.  
 3 Q. In your opinion in order to gain the  
 4 knowledge you would need to have to develop  
 5 a protocol, you would need to do a number of  
 6 things, such as contacting other  
 7 jurisdictions, reading technical literature,  
 8 drawing upon your own medical knowledge.  
 9 And you would want to have access to medical  
 10 information and knowledge if you were to do  
 11 something like that. Correct?  
 12 A. Yes. If I were to do that, that's  
 13 what I would want to do.  
 14 Q. And you would want to consult a  
 15 physician and/or a pharmacist in connection  
 16 with drawing up a lethal injection protocol,  
 17 wouldn't you?  
 18 A. Yes.  
 19 Q. And you're not aware whether any of  
 20 those sorts of things were done by anybody  
 21 who put together the Tennessee lethal  
 22 injection protocol?  
 23 A. I am not aware one way or the other,  
 24 aside from what I heard in terms of  
 25 testimony today.

374

1 A. In a therapeutic setting that is  
 2 correct.  
 3 Q. Okay. Before you would feel  
 4 competent in preparing sodium pentothal for  
 5 application to a human being based on your  
 6 medical training, you would want to review  
 7 materials before preparing the drug for  
 8 administration. Correct?  
 9 A. Yes.  
 10 Q. And you are aware that these drugs  
 11 have shelf lives or expiration dates.  
 12 Correct?  
 13 A. Yes. All medications do.  
 14 Q. And off the top of your head you  
 15 don't have any recollection of what those  
 16 shelf lives might be for these particular  
 17 drugs in lethal injection?  
 18 A. No, I do not.  
 19 Q. You do not feel that you have the  
 20 expertise or competence to develop a  
 21 protocol for performing lethal injections,  
 22 do you?  
 23 A. No, I do not.  
 24 Q. And that's because you have no  
 25 experience in the area of performing lethal

373

1 Q. Okay. Would you please take the big  
 2 binder and turn it -- the big binder. Yes,  
 3 that one. If you would turn it to document  
 4 number bates stamp number 201, please.  
 5 A. I have found it.  
 6 Q. All right. Now, this is a portion of  
 7 the execution manual in Tennessee which  
 8 lists equipment to be obtained for a lethal  
 9 injection. Correct?  
 10 A. Correct.  
 11 Q. All right. Now, if you will notice,  
 12 the second item is 96 inches long IV tubing  
 13 with Y injection site. Do you see that?  
 14 A. I do.  
 15 Q. And the next one -- and there are 12  
 16 of those items of equipment to be acquired.  
 17 Correct?  
 18 A. Yes.  
 19 Q. And then there are 12 items that are  
 20 designated as 30-inch long extension tubing.  
 21 Correct?  
 22 A. It's 35 inch, I believe. You  
 23 misspoke, but, yes, they are there.  
 24 Q. Thank you. 35 inch. Now, in your  
 25 experience as a medical student you don't

375

1 recall that you have used -- that you have  
 2 performed an IV using intravenous tubing  
 3 that would be as long as 96 inches, do you?  
 4 A. No. And in most case in patients  
 5 that come to our office the intravenous  
 6 tubing is shorter than 96 inches.  
 7 Q. And you're not aware -- well, you  
 8 weren't aware back when I took your  
 9 deposition of how the tubing is set up.  
 10 Correct?  
 11 A. I had just had a vague recollection  
 12 at that point.  
 13 Q. Now, looking through this, would you  
 14 look at the next page, Page 202 down at the  
 15 bottom?  
 16 A. Yes.  
 17 Q. It says PPE size XI. Do you know  
 18 what that is?  
 19 A. I don't know what the initials PPE  
 20 refer to.  
 21 Q. Okay. If you look about the -- on  
 22 Page 202, five lines down a chux, do you  
 23 know what that is?  
 24 A. I do not.  
 25 Q. Do you know whether a stopcock was  
 376

1 time, where you used seven syringes in quick  
 2 sequence to inject solution into a patient,  
 3 have you?  
 4 A. No.  
 5 Q. Now, if there's a push, there will be  
 6 some back pressure. Correct?  
 7 A. Correct. Depending upon the diameter  
 8 of the tubing and the catheter. It would  
 9 vary.  
 10 Q. And also the rate of the push. That  
 11 would affect pressure, wouldn't it?  
 12 A. Right. That's part of the equation  
 13 of flow versus resistance.  
 14 Q. And you don't know how much pressure  
 15 there would be, do you?  
 16 A. It's something that could be  
 17 measured, but I don't know what it is.  
 18 Q. And depending on the circumstances  
 19 the pressure might cause the fluid to go up  
 20 the tube against gravity into the saline  
 21 bag. Correct?  
 22 A. It is theoretically possible for that  
 23 to happen, to go up the tubing. I don't  
 24 know that it would reach the IV bag. It  
 25 would depend upon the length of the tubing  
 378

1 used in the Coe execution?  
 2 A. You would have to define what you  
 3 mean by stopcock before I could give you an  
 4 answer to that.  
 5 Q. A valve that's used to twist around.  
 6 A valve on the tubing that is twisted in  
 7 order to direct the direction of the fluid.  
 8 A. There was several valves on the  
 9 intravenous tubing. There was a valve where  
 10 you could adjust the flow rate of the  
 11 intravenous tubing, and then several things  
 12 which I would call stoppers that you could  
 13 slide over the tubing to stop or start flow  
 14 through that point.  
 15 Q. Now, in a lethal injection process  
 16 the testimony is established that there are  
 17 seven syringes used in sequence on one arm  
 18 and then if there's a problem, they go to  
 19 the other arm and follow the same sequence  
 20 involving seven different syringes.  
 21 Correct?  
 22 A. Correct.  
 23 Q. Now, during your training in medical  
 24 school you had never been involved in a  
 25 procedure, in medical school or at any other  
 377

1 and the volume that was injected.  
 2 Q. Now, you are not aware of whether the  
 3 persons engaged in the process of actually  
 4 performing the injection in Tennessee have  
 5 any training or knowledge about how the  
 6 valves work or what kinds of complications  
 7 could arise during the course of an  
 8 injection, do you?  
 9 A. I do not know what their training is.  
 10 Q. You don't know whether the persons  
 11 involved in performing the actual injection  
 12 have any training in how to observe or make  
 13 observations to see if fluid is flowing in  
 14 the wrong direction, for example?  
 15 A. Again, I do not know what the  
 16 training of the personnel are.  
 17 Q. Now, one thing that -- talking about  
 18 the IV now. When an IV is inserted and when  
 19 it's attached to whatever devices it's  
 20 attached to, one of the things that you need  
 21 to look for is to see whether there is any  
 22 air in the tubing. Correct?  
 23 A. Yes. You would prefer to not to have  
 24 any air in the tubing.  
 25 Q. Otherwise, the air -- if an air  
 379

1 bubble were to be inserted into the vein,  
 2 that could cause air embolisms. Correct?  
 3 A. That is correct.  
 4 Q. Now, every attachment involving every  
 5 valve creates the possibility for leakage.  
 6 Correct?  
 7 A. It does.  
 8 Q. And long tubing creates a heightened  
 9 possibility for a crimping of the tubing.  
 10 Correct?  
 11 A. Yes, it would.  
 12 Q. Now, during the time of your  
 13 testimony you said that the syringes are  
 14 labeled with letters. Correct?  
 15 A. I did and subsequently having  
 16 reexamined the apparatus, I had misspoken  
 17 during my deposition. They are actually  
 18 numbers.  
 19 Q. Now, the labeling that's used for the  
 20 syringes is not typical or it's not a  
 21 typical or conventional method of labeling  
 22 in the medical setting, is it?  
 23 A. Not in the setting of patient  
 24 treatment, no.  
 25 Q. And you're not aware of any provision

380

1 in the protocol for checking the type of  
 2 drug and the dosage at the various points in  
 3 time: From the time the drug is mixed, to  
 4 the time the drug is taken to the death  
 5 chamber, to the time it is taken off the  
 6 shelf and inserted in the tubing?  
 7 A. Yeah. I had no recollection of what  
 8 was in the protocol regarding that.  
 9 Q. And you have no knowledge of where  
 10 the solutions are to be mixed or tested.  
 11 Correct?  
 12 A. Just aside from what I heard earlier  
 13 today, I do not.  
 14 Q. Isn't it true that mistakes with  
 15 medicines are among the most common sources  
 16 of error in medical procedures?  
 17 A. They are one of the common sources.  
 18 I couldn't tell if you it's the most common  
 19 or not.  
 20 Q. And, typically, the people who mix  
 21 the drugs need to be licensed and regulated.  
 22 Correct?  
 23 A. Yes. In the case of treating  
 24 patients, absolutely.  
 25 Q. Now, isn't it true that many kinds of

381

1 things can happen to a medication that can  
 2 affect its shelf life?  
 3 A. Yes.  
 4 Q. If sodium pentothal has a relatively  
 5 short shelf life after it is mixed, then it  
 6 would fairly quickly deteriorate. Correct?  
 7 A. Yes. I believe it begins to  
 8 deteriorate at the time it's mixed and would  
 9 slowly deteriorate over its active shelf  
 10 life once mixed.  
 11 Q. Okay. And as it deteriorates it  
 12 would lose potency?  
 13 A. It would.  
 14 Q. Now, isn't it true that medical  
 15 personnel are trained specifically to deal  
 16 with stressful situations through their  
 17 years of training and experience from  
 18 medical school through residency through  
 19 internship into actual practice. Correct?  
 20 A. We are.  
 21 Q. And that's one of the main - that's  
 22 an importance aspect of medical training.  
 23 Correct?  
 24 A. I would consider it so, yes.  
 25 Q. And through that, that improves the

382

1 ability of physicians, for example, to deal  
 2 with stressful situations. Correct?  
 3 A. One would hope so, yes.  
 4 Q. If people who perform lethal  
 5 injection have not received medical training  
 6 and have no medical experience, you would  
 7 expect that increased stress could increase  
 8 the possibility of error. Correct?  
 9 A. It could, yes.  
 10 Q. And then depending on the persons  
 11 involved, you would agree that there are  
 12 potentially stressful circumstances in the  
 13 lethal injection. Correct?  
 14 A. I would consider it a stressful  
 15 process, yes.  
 16 Q. It's stressful partly because it  
 17 involves the killing of a human being.  
 18 Correct?  
 19 A. Yes, it does.  
 20 Q. Possibly because the persons involves  
 21 would know the inmate whose being killed.  
 22 Correct?  
 23 A. They might, yes.  
 24 Q. Another stressor could possibly be  
 25 that the process could get stayed by the

383



1 courts at any point during the proceeding.  
 2 Correct?  
 3 A. Yes, I believe it could.  
 4 Q. And that would be a stressor.  
 5 Correct?  
 6 A. It could be, yes.  
 7 Q. And that there's a considerable  
 8 amount of public attention focused on the  
 9 execution. That would be a possible  
 10 stressor. Correct?  
 11 A. Yes, it could.  
 12 Q. And witnesses are observing the  
 13 process, including the inmate's family  
 14 members and the victim's family members.  
 15 Correct?  
 16 A. Yes, they are.  
 17 Q. And that would be a potentially  
 18 stressful situation. Correct?  
 19 A. It could be.  
 20 Q. And the time of day, 1:00 o'clock in  
 21 the morning, could be a stressful  
 22 circumstance. Correct?  
 23 A. It could be, yes.  
 24 Q. You have no knowledge of whether the  
 25 persons who are involved in the lethal

384

1 injection protocol in Tennessee,  
 2 specifically the process of performing the  
 3 injection, are trained in any fashion to  
 4 have any special experience in dealing with  
 5 these kinds of potential stressors?  
 6 A. I have no knowledge of that one way  
 7 or the other.  
 8 Q. Now, infiltration is the phenomenon  
 9 that occurs when a drug does not get  
 10 inserted or injected fully into the blood  
 11 vessel, but rather gets inserted into the  
 12 tissue surrounding the blood vessel.  
 13 Correct?  
 14 A. Well, it's not necessarily  
 15 medication. It could just be the  
 16 intravenous fluid itself.  
 17 Q. Right.  
 18 A. But otherwise that is correct.  
 19 Q. The intravenous fluid, when it  
 20 doesn't go entirely into the blood vessel  
 21 that is intended, instead goes outside the  
 22 blood vessel, that's called infiltration.  
 23 Correct?  
 24 A. That is one term for it, yes.  
 25 Q. And there are a number of reasons why

385

1 the fluid, the intravenous fluid will not  
 2 get into the blood vessel completely. It  
 3 could be bad veins, could be punctures in  
 4 the blood vessel caused by the insertion of  
 5 the catheter, the incorrect location of the  
 6 needle or the catheter during the process.  
 7 Isn't that correct?  
 8 A. All of those are possibilities, yes.  
 9 Q. And the conditions of the -- the  
 10 physical condition of the inmate, of his  
 11 body and the quality of his blood vessels  
 12 might also factor into problems that could  
 13 arise?  
 14 A. It could, yes.  
 15 Q. The overall health of the inmate and  
 16 the fragility of his veins could factor into  
 17 the process. Correct?  
 18 A. It could.  
 19 Q. And even people who have not been  
 20 intravenous drug users and who appear to be  
 21 healthy could still, for whatever reason,  
 22 have poor or difficult veins for purposes of  
 23 an IV and an injection. Correct?  
 24 A. It is -- yes, it's certainly a  
 25 possibility.

386

1 Q. Now, in performing the lethal -- the  
 2 IV and then the subsequent execution or  
 3 injection of a fluid into the vessel,  
 4 trained personnel will often use touch,  
 5 which is called palpation, to ensure that  
 6 the fluid is properly flowing into the  
 7 vessel. Isn't that correct?  
 8 A. That is one method that can be used,  
 9 yes.  
 10 Q. Now, you're not aware of whether  
 11 palpation is used during a lethal injection  
 12 in Tennessee to detect possible  
 13 infiltration?  
 14 A. I do not know.  
 15 Q. And you're not aware of what means  
 16 are used under the protocol for detecting  
 17 possible infiltration, are you?  
 18 A. Under the protocol I am not.  
 19 Q. And infiltration is not always  
 20 observable by the naked eye, is it?  
 21 A. It depends upon the circumstances.  
 22 Q. So it's not always observable?  
 23 A. Not always.  
 24 Q. Now, professionals are trained to  
 25 monitor injections to detect infiltration,

387

1 aren't they?  
 2 A. Yes, they are.  
 3 Q. And the training comes through  
 4 education and the experience of having  
 5 watched and performed them on numerous  
 6 occasions. Correct?  
 7 A. Correct.  
 8 Q. You do not know what kind of training  
 9 the persons who actually performed the  
 10 injection under the Tennessee lethal  
 11 injection protocol have for purposes of  
 12 detecting infiltration, do you?  
 13 A. Not aside from what I heard earlier  
 14 today.  
 15 Q. Another problem that could occur is  
 16 that a catheter, the catheter which is the  
 17 plastic tubing that's in the vein that is  
 18 attached to the tubing could become  
 19 dislodged or kinked. Correct?  
 20 A. It could.  
 21 Q. And that could happen actually during  
 22 the process of the injection. Isn't that  
 23 correct?  
 24 A. It is a possibility, yes.  
 25 Q. You are not aware under the Tennessee

388

1 lethal injection protocol of whether there  
 2 is any monitoring of the inmate during the  
 3 lethal injection process to determine  
 4 whether there's been any kind of  
 5 infiltration or any other type of problem,  
 6 are you?  
 7 A. Not aside from what I heard earlier  
 8 today.  
 9 Q. And you're not aware of any  
 10 monitoring or any procedures under the  
 11 protocol to determine whether the inmate is  
 12 under a proper level of anesthesia before  
 13 the Pavulon is administered, are you?  
 14 A. Again, aside from what I heard  
 15 earlier today, I have no knowledge of it.  
 16 Q. And you're aware that -- you're not  
 17 aware of any effort to determine heart rate,  
 18 blood pressure or any of those sorts of  
 19 things at any point during the lethal  
 20 injection process. Isn't that correct?  
 21 A. Not until the point where the person  
 22 would be examined to confirm death.  
 23 Q. Right. In fact, based on the Coe  
 24 autopsy that you performed it would appear  
 25 that no monitoring devices were used in the

389

1 Coe execution. Correct?  
 2 A. Correct.  
 3 Q. Dr. Levy, based on your knowledge of  
 4 the Tennessee lethal injection protocol, you  
 5 cannot say today that the protocol includes  
 6 adequate procedures and safeguards to ensure  
 7 that the lethal injection will proceed  
 8 without an unreasonable risk of problems or  
 9 complications, can you?  
 10 A. I can only speak to my knowledge of  
 11 my preparation for the one execution that  
 12 occurred, and the results of my examination  
 13 as a result of that execution. And in that  
 14 instance I found no significant difficulties  
 15 with the process.  
 16 Q. Okay.  
 17 A. But there's no way to guarantee  
 18 anything in any human endeavor.  
 19 Q. Let me read the question I asked you  
 20 during your deposition on Page 81 beginning  
 21 at line 8.  
 22 All right. Based on your knowledge  
 23 of the Tennessee lethal injection protocol  
 24 do you have an opinion on whether the  
 25 protocol includes adequate procedures and

390

1 safeguards to ensure that the lethal  
 2 injection will proceed without an  
 3 unreasonable risk of problems or  
 4 complications?  
 5 Answer: I don't know.  
 6 Was that your testimony?  
 7 A. Yes. And that was in regard to the  
 8 protocol, yes.  
 9 Q. Thank you. Now, to your knowledge  
 10 isn't it true that there are no surgical  
 11 procedures in which sodium pentothal is used  
 12 by itself without the use of another  
 13 subsequent anesthetic?  
 14 A. I am not aware of such a  
 15 circumstance.  
 16 Q. That's because sodium pentothal is  
 17 just an induction drug that puts the patient  
 18 immediately asleep to be followed  
 19 immediately by some other anesthetic agent.  
 20 Correct?  
 21 A. That is correct.  
 22 Q. Dr. Levy, you are not aware of any  
 23 procedures in medicine in which Pavulon is  
 24 administered to the patient before the  
 25 administration of a second longer-lasting

391

1 anesthetic after the administration of the  
 2 induction anesthetic, such as sodium  
 3 pentothal. Correct?  
 4 A. That's correct. I'm not aware of  
 5 that in the treatment of any patient.  
 6 Q. So in other words, Pavulon would  
 7 never, to your knowledge, be administered  
 8 while the patient is just under sodium  
 9 pentothal. Correct?  
 10 A. Correct, not in a therapeutic  
 11 setting.  
 12 Q. Okay. Now, you do agree that if a  
 13 person were to be paralyzed from Pavulon  
 14 without being anesthetized, that person  
 15 would perceive what's going on and would  
 16 feel pain and suffering. Correct?  
 17 A. Correct, until they lost  
 18 consciousness from lack of oxygen.  
 19 Q. And that's called asphyxiation.  
 20 Correct?  
 21 A. It is.  
 22 Q. And that would be an extremely  
 23 unpleasant experience?  
 24 A. It would be.  
 25 Q. You are not aware, are you, of any  
 392

1 situation in which trained medical personnel  
 2 would apply Pavulon before making sure that  
 3 the patient is completely anesthetized.  
 4 Correct?  
 5 A. That is correct.  
 6 Q. You are not aware of any procedure in  
 7 which sodium pentothal and Pavulon are  
 8 commonly used in combination without the use  
 9 of some other anesthetic agent, are you?  
 10 A. I am not, outside of lethal injection  
 11 protocols.  
 12 Q. Okay. Your understanding is that the  
 13 primary purpose of the sodium pentothal in  
 14 lethal injection is to render the condemned  
 15 prisoner unconscious. Correct?  
 16 A. That is correct.  
 17 Q. So that he will not perceive pain and  
 18 suffering while still alive during the  
 19 administration of the other drugs. Correct?  
 20 A. That is part of it, yes.  
 21 Q. Based on your knowledge of the  
 22 Tennessee protocol you are unable to draw  
 23 any conclusions or make any opinions about  
 24 whether, as a general matter, there are  
 25 adequate procedures and safeguards in place  
 393

1 to ensure that the sodium pentothal will  
 2 have its desired effect?  
 3 A. There are none that I am aware of one  
 4 way or the other. Again, aside from what I  
 5 heard testified to earlier.  
 6 Q. Okay. I just want to make sure the  
 7 record is clear on this point. In your  
 8 deposition on Page 91 at Line 13 you were  
 9 asked this question:  
 10 Can you draw any conclusions or make  
 11 any opinions about whether, as a general  
 12 matter, there are adequate procedures and  
 13 safeguards in place under the Tennessee  
 14 lethal injection protocol to ensure that the  
 15 sodium pentothal will have its desired  
 16 effect?  
 17 Answer: Not as a general matter, no.  
 18 Is that your testimony?  
 19 A. If you say so, it was.  
 20 Q. In Tennessee if the lethal injection  
 21 process is properly performed, then the real  
 22 cause of death is the potassium. Correct?  
 23 A. I would disagree with that.  
 24 Q. I'd like to refer you to your  
 25 deposition Page 93 beginning at Line 12.  
 394

1 Question: So in the lethal injection  
 2 process if it is properly performed, the  
 3 real cause of death is the potassium.  
 4 Correct?  
 5 Answer: Yes.  
 6 A. I believe my answer was I would  
 7 consider that the immediate cause of the  
 8 death.  
 9 Q. Okay. I will read on. Do you have  
 10 an opinion about the purpose for using  
 11 Pavulon in the lethal injection process?  
 12 What's your answer to that?  
 13 A. Do I have an opinion as to the use of  
 14 it? Yes, I do.  
 15 Q. Okay. Let me ask you this question.  
 16 You don't have an opinion on whether Pavulon  
 17 has any legitimate purpose in the lethal  
 18 injection process, do you?  
 19 A. I don't know what the purpose of the  
 20 protocol is. I can only speak to what the  
 21 effect of the medication would be.  
 22 Q. Okay. Let me ask - let me read your  
 23 testimony here. On Page 93, Line 19:  
 24 To your knowledge does the use of  
 25 Pavulon have any legitimate purpose in the  
 395

1 lethal injection process?  
 2 Answer: I don't know.  
 3 Was that your testimony in your  
 4 deposition?  
 5 A. It must be. I haven't had a chance  
 6 to review it.  
 7 Q. Now, Pavulon by paralyzing the  
 8 muscles would also paralyze all the facial  
 9 muscles and all the other muscles that are  
 10 used to express or indicate pain or  
 11 suffering. True?  
 12 A. True.  
 13 Q. In a normal surgical operation if the  
 14 patient is not paralyzed by a neuromuscular  
 15 blocking agent, then there could be visual  
 16 and audible signs of pain and suffering.  
 17 Correct?  
 18 A. There could be, yes.  
 19 Q. But if Pavulon were used and the  
 20 patient were completely paralyzed, then none  
 21 of those signs would be apparent or  
 22 available to the surgeon or the  
 23 anesthesiologist. Correct?  
 24 A. Correct.  
 25 Q. Pavulon then could mask problems that

396

1 might arise during a lethal injection in the  
 2 sense that it would prevent any visual or  
 3 auditory perception of consciousness?  
 4 A. Yes.  
 5 Q. Or perception of pain and suffering?  
 6 A. That is correct.  
 7 Q. Now, you are aware of the term  
 8 intraoperative awareness, are you not?  
 9 A. I am.  
 10 Q. And that means being awake while  
 11 under surgery. Correct?  
 12 A. Correct.  
 13 Q. And you're aware that intraoperative  
 14 awareness does occur in surgery. Correct?  
 15 A. It does.  
 16 Q. But in your training you've not had  
 17 much experience in that area, have you?  
 18 A. I have not.  
 19 Q. Would you agree that Pavulon  
 20 administered to a person while conscious  
 21 could be a horrifying and physically  
 22 excruciating experience?  
 23 A. It could be.  
 24 Q. Now, you don't know why saline is  
 25 used to flush the lines, do you?

397

1 A. I don't know.  
 2 Q. You don't know why two syringes of  
 3 Pavulon are used in the process instead of  
 4 one, do you?  
 5 A. I do not.  
 6 Q. You don't know why two syringes of  
 7 potassium are used in the process instead of  
 8 one?  
 9 A. I do not know.  
 10 Q. You don't know whether these drugs if  
 11 they were to mix together might crystallize,  
 12 do you?  
 13 A. Aside from the testimony I heard  
 14 earlier in this, I do not.  
 15 Q. Now, isn't it true that there's a  
 16 potential for blood clotting if the blood  
 17 flows back up into the catheter and the IV  
 18 tubing? That's always a possibility, isn't  
 19 it?  
 20 A. It is.  
 21 MR. MACLEAN: Your Honor, I'm very  
 22 close to being finished. If I could take  
 23 about a five-minute break and gather myself.  
 24 THE COURT: Yes, sir. That would  
 25 be fine. Do you want for there to be a

398

1 recess?  
 2 MR. MACLEAN: I think a recess  
 3 would be good just to gather myself.  
 4 THE COURT: Okay. Good. That  
 5 will give Dr. Levy a chance to get down and  
 6 walk around a little bit. Let's come back  
 7 at 3:15. If you need more time, then just  
 8 let me know and we'll give you more.  
 9 MR. MACLEAN: Okay. Thank you.  
 10 COURT CLERK: All rise. Court is  
 11 in recess at this time.  
 12 (Recess taken.)  
 13 BY MR. MACLEAN:  
 14 Q. All right. Dr. Levy, if you would  
 15 look at the autopsy report which begins at  
 16 bates stamp Page No. 0567 in the big binder.  
 17 It's under Tab 13. And you've already  
 18 testified at the bottom of Page 0567 you  
 19 gave the probable cause of death for Coe  
 20 acute intoxication by the combined effects  
 21 of the drugs pentothal, Pavulon and  
 22 potassium. And then you say the same thing  
 23 on 0570. Correct?  
 24 A. That's correct.  
 25 Q. I want to read testimony that you

399

1 gave in your deposition. Now, based on your  
 2 earlier testimony it would have been the --  
 3 MR. CROWNOVER: Excuse me, Your  
 4 Honor.  
 5 THE COURT: Yes, sir.  
 6 MR. CROWNOVER: May I ask what  
 7 page it is?  
 8 MR. MACLEAN: Page 105. I  
 9 apologize.  
 10 THE COURT: 105?  
 11 MR. MACLEAN: Page 105 of the  
 12 deposition, Line 21. I apologize.  
 13 BY MR. MACLEAN:  
 14 Q. Now, based on your earlier testimony  
 15 it would have been the potassium that was  
 16 the actual cause of death, correct?  
 17 Answer: That was the medication in  
 18 this case that was immediately fatal, but  
 19 all three are potentially fatal medications  
 20 and in combination they are also a lethal  
 21 combination. And that is not uncommon usage  
 22 in cases where we have, for example, a  
 23 polydrug intoxication that results in death  
 24 to list all the medications involved.  
 25 Is that your testimony?

400

1 A. That was.  
 2 Q. Okay. We go over to Page 0573 of the  
 3 binder, document binder which is Page 5 of  
 4 the autopsy report. Do you see that?  
 5 A. I do.  
 6 Q. And under summary of case it says in  
 7 the third paragraph: Histology confirmed  
 8 the gross pathologic findings. Blood levels  
 9 of thiopental, sodium pentothal and its  
 10 metabolite, pentobarbital, are both within  
 11 normal therapeutic concentrations. Blood  
 12 levels of pancuronium, Pavulon, are well  
 13 above the levels indicated for medical use.  
 14 Do you see that?  
 15 A. I do.  
 16 Q. Okay. Dr. Levy, you're not aware of  
 17 any studies regarding the speed with which  
 18 sodium pentothal takes effect, are you?  
 19 A. I'm not aware of any studies, no.  
 20 Q. And you're not aware of any studies  
 21 regarding the variability of patients's  
 22 responses to this drug, are you?  
 23 A. Not of any studies, no.  
 24 Q. You're not aware of any studies  
 25 regarding the rate at which this particular

401

1 barbiturate loses its effect, are you?  
 2 A. I would say that I am aware within  
 3 medical text regarding toxicology  
 4 information regarding how long it remains  
 5 effective in normal therapeutic use.  
 6 Q. So in other words, you know books  
 7 where you can go to find that information?  
 8 A. Yes.  
 9 Q. All right. But you don't recall what  
 10 the half life of sodium pentothal is, do  
 11 you?  
 12 A. Yes, I do.  
 13 Q. What is it?  
 14 A. The half life of sodium pentothal is  
 15 quite variable depending upon the dose, but  
 16 begins at approximately six hours and can  
 17 extend as long as sixty hours.  
 18 Q. Okay. In your question I asked you:  
 19 Do you know what the half life is of  
 20 sodium pentothal?  
 21 Answer: I don't recall.  
 22 Question: Do you remember whether it  
 23 is a matter of seconds, minutes or hours?  
 24 Answer: My recollection would be it  
 25 would be in the minutes of range. Half life

402

1 in the body.  
 2 A. That was my testimony and then I  
 3 reviewed the literature subsequent to that.  
 4 Q. Okay. Now, potassium chloride when  
 5 it's injected can produce a sensation of  
 6 burning as it is introduced in the veins.  
 7 Correct?  
 8 A. It can, yes, depending on the  
 9 concentration of it.  
 10 Q. And potassium chloride at a heavy  
 11 lethal dose, such as is used in the  
 12 Tennessee lethal injection protocol, when it  
 13 hits the heart will interrupt the electrical  
 14 activity of the heart almost immediately  
 15 Correct?  
 16 A. It takes a few seconds, but it's  
 17 effectively immediately, yes.  
 18 Q. And then that stops the heart.  
 19 Correct?  
 20 A. It's a simplification. The heart  
 21 would go through a variety of arrhythmias  
 22 until the heart would finally come to a  
 23 stop.  
 24 Q. Now, Dr. Levy, we've talked about a  
 25 lot of different steps in the process of

403

1 lethal injection. Correct?  
 2 A. Yes, there are.  
 3 Q. And it's fair to say, isn't it, that  
 4 if anything grows wrong at any of those  
 5 stages, this could be a terrible thing both  
 6 to experience and to witness. Correct?  
 7 A. Depending upon the steps you're  
 8 referring to, yes, it could be.  
 9 Q. But if the Pavulon were working, it  
 10 would not be such a terrible thing to  
 11 witness because the patient would have the  
 12 appearance of being calm and serene.  
 13 Correct?  
 14 A. That is correct.  
 15 Q. Even though that might not be the  
 16 case. Correct?  
 17 A. Correct.  
 18 Q. Now, Dr. Levy, isn't it true that  
 19 nobody knows whether Mr. Coe in his  
 20 execution felt any pain?  
 21 A. We don't as he died and is not able  
 22 to tell us whether he did or not.  
 23 Q. And is it true that -- it's true,  
 24 isn't it, that if he felt any pain that the  
 25 Pavulon may have masked that pain?

404

1 A. If it was after the time that the  
 2 Pavulon was administered, yes, it would.  
 3 Q. And when I asked you that question in  
 4 your deposition at Page 111, Line 21, your  
 5 answer was: That is entirely possible.  
 6 Correct?  
 7 A. It is.  
 8 Q. Dr. Levy, in your opinion it would be  
 9 possible to devise a protocol in Tennessee  
 10 that would involve the use of medically  
 11 trained personnel in all of the stages of  
 12 the lethal injection process. Correct?  
 13 A. It would be possible, yes.  
 14 Q. And you do not have an understanding  
 15 of why the Tennessee lethal injection  
 16 protocol does not involve the use of  
 17 medically trained personnel in the actual  
 18 carrying out of the execution?  
 19 A. Right. I do not know one way or  
 20 another why the method that is chosen was  
 21 chosen.  
 22 Q. And under the current protocol, as  
 23 you understand it, there is a risk that the  
 24 execution could result in an inhumane death?  
 25 A. There is, in any circumstance, always

405

1 a risk of something going wrong and an  
 2 inhumane execution might happen, yes.  
 3 MR. MACLEAN: I think that's all.  
 4 Thank you.  
 5 THE COURT: Thank you.  
 6 REDIRECT EXAMINATION  
 7 QUESTIONS BY MR. CROWNOVER:  
 8 Q. Dr. Levy, has anybody ever asked you  
 9 to form an opinion as to whether the  
 10 Tennessee lethal injection protocol includes  
 11 adequate procedures and safeguards to ensure  
 12 that the lethal injection will proceed  
 13 without an unreasonable risk of problems or  
 14 complications?  
 15 A. Not prior to the deposition that I  
 16 gave three days ago.  
 17 Q. So you've never been asked that  
 18 question before about your opinion?  
 19 A. No. And I wouldn't consider that my  
 20 role as the state medical examiner or county  
 21 medical examiner. I'd want to be  
 22 independent of that process. Because how  
 23 can I evaluate that process independently if  
 24 I was involved in its development or its  
 25 critiquing in that regard?

406

1 Q. Okay. So you wouldn't want to be  
 2 involved in the process?  
 3 MR. MACLEAN: Your Honor, I'm  
 4 going to object to leading.  
 5 THE COURT: The Court sustains the  
 6 okay.  
 7 BY MR. CROWNOVER:  
 8 Q. So would you want to be involved in  
 9 preparing and formulating a lethal injection  
 10 protocol in your capacity as medical  
 11 examiner?  
 12 A. No, I would not.  
 13 Q. And for the reasons you just stated?  
 14 A. That is correct.  
 15 Q. Now, Dr. Levy, you were asked  
 16 questions about sodium pentothal in a  
 17 surgical setting and I believe you testified  
 18 that sodium pentothal could be used, but it  
 19 would be followed by another anesthetic. Is  
 20 that right?  
 21 A. That is correct. That is standard  
 22 surgical procedure.  
 23 Q. Now, in a lethal injection protocol  
 24 does it make any sense or not to give five  
 25 grams of sodium pentothal and then follow it

407

1 with another anesthetic?  
 2 A. Well, if the execution --  
 3 MR. MACLEAN: Your Honor, I'm  
 4 going to object to this on grounds of lack  
 5 of foundation. He's already testified that  
 6 he lacks any kind of expertise in the area  
 7 of euthanasia or lethal injection.  
 8 THE COURT: The Court overrules  
 9 the objection because you opened the door.  
 10 I was very careful. I noticed on the direct  
 11 examination those kinds of questions were  
 12 not asked, but on cross-examination you did  
 13 stray into that area and, especially, as to  
 14 this particular question. It's proper  
 15 redirect because it was gone into on cross.  
 16 You may proceed.  
 17 THE WITNESS: May I have the  
 18 question repeated, please?  
 19 THE COURT: Yes, sir.  
 20 BY MR. CROWNOVER:  
 21 Q. Okay. The question is, Dr. Levy, in  
 22 the lethal injection procedure does it make  
 23 any sense or not for five grams of sodium  
 24 pentothal to be followed by some other  
 25 anesthetic?

408

1 A. It makes no sense to me as the sodium  
 2 pentothal would be lethal in and of itself.  
 3 Q. Now, as to the tests performed and  
 4 your examination of the body of Robert Coe,  
 5 did you find any evidence of a loss of  
 6 potency of any of the three drugs  
 7 administered?  
 8 A. No. As I testified to before, the  
 9 levels of pentothal and the pentobarbital in  
 10 Mr. Coe's body at the time of his death were  
 11 still well within the normal therapeutic  
 12 range you would expect in someone who is  
 13 under general anesthesia.  
 14 Q. And were the levels of the sodium  
 15 pentothal and the pentobarbital, were they  
 16 within the lethal range also?  
 17 A. In the case of the sodium pentothal,  
 18 it was. In the case of the pentobarbital,  
 19 it was not.  
 20 Q. Now, as to the tests performed and  
 21 your examination of Mr. Coe's body did you  
 22 find any evidence of improper mixing of any  
 23 of the three drugs administered?  
 24 A. From the autopsy I have no way to  
 25 evaluate how the drugs were mixed. I could

409

1 only evaluate the effect upon Mr. Coe.  
 2 Q. Okay. And the effect upon Mr. Coe  
 3 was what?  
 4 A. Mr. Coe was killed as a result of the  
 5 lethal injection procedure.  
 6 Q. Do you have any opinion as to whether  
 7 if the sodium pentothal was not mixed  
 8 properly that was administered to Mr. Coe,  
 9 would it still have a lethal effect or not?  
 10 A. Depending upon how it was mixed it  
 11 might or might not. It would -- there are  
 12 so many possibilities in mismixing something  
 13 that you could still deliver a lethal dose,  
 14 or you could deliver a less than lethal  
 15 dose, or an ineffective dose.  
 16 Q. Okay. And as to your tests performed  
 17 and your examination of Mr. Coe's body was  
 18 there any evidence of improper  
 19 administration of the three drugs?  
 20 A. There was not.  
 21 Q. Would the execution of Mr. Coe be  
 22 considered a successful lethal injection in  
 23 your opinion or not?  
 24 A. In my opinion it would be.  
 25 Q. Were there any significant

410

1 difficulties with the procedure that you  
 2 could ascertain from your examination of the  
 3 body, the tests that were performed and the  
 4 examination of the IV apparatus?  
 5 A. I didn't see any significant  
 6 problems, just the minor issue of having two  
 7 needle sticks required in one arm.  
 8 Q. Do you have an understanding as to  
 9 whether the Tennessee lethal injection  
 10 protocol that was followed when Mr. Coe was  
 11 executed is the same or not as the Tennessee  
 12 lethal injection protocol at the present  
 13 time?  
 14 A. It's my understanding that it is.  
 15 Q. And do you have an opinion as to  
 16 whether Mr. Coe would have experienced pain  
 17 or discomfort as a result of receiving any  
 18 of the three drugs administered?  
 19 A. I do have an opinion.  
 20 Q. And what is your opinion, Dr. Levy?  
 21 A. It's my opinion that once the sodium  
 22 pentothal took effect, Mr. Coe would have  
 23 had no perception of pain or consciousness  
 24 at all.  
 25 MR. CROWNOVER: That's all, Your

411

1 Honor.  
 2 THE COURT: Any re-cross?  
 3 MR. MACLEAN: Nothing further,  
 4 Your Honor.  
 5 THE COURT: No?  
 6 MR. MACLEAN: One second.  
 7 THE COURT: Okay.  
 8 MR. MACLEAN: Nothing more, Your  
 9 Honor.  
 10 THE COURT: Dr. Levy, you're  
 11 excused. Thank you very much for your  
 12 testimony.  
 13 THE WITNESS: Thank you.  
 14 THE COURT: Does the state have  
 15 any other witnesses or any other proof.  
 16 MS. REEVERS: Your Honor, no.  
 17 That is all of the state's witnesses. We  
 18 would like to submit to the Court the  
 19 plaintiff's response to defendant's  
 20 interrogatories. As the Court will note  
 21 they are not signed, but Mr. MacLean has  
 22 agreed to stipulate.  
 23 MR. MACLEAN: We stipulate, Your  
 24 Honor. I signed them. My client did not  
 25 because I haven't had a chance to go out and

412

1 visit him over the past couple of weeks.  
 2 THE COURT: All right. So that  
 3 will be, I believe, Exhibit 18. Is that  
 4 correct? Yes, ma'am. If you'll just hand  
 5 those up, Mrs. Smith will mark them and they  
 6 will be admitted.  
 7 (Exhibit No. 18 admitted.)  
 8 MS. REEVERS: We would also like  
 9 to provide to the Court the rules of the  
 10 department of health regarding emergency  
 11 medical technicians and paramedics.  
 12 THE COURT: Any objection?  
 13 MR. MACLEAN: No objection, Your  
 14 Honor.  
 15 MS. REEVERS: We've provided a  
 16 copy.  
 17 THE COURT: Good. That's Exhibit  
 18 19. It's admitted into evidence.  
 19 (Exhibit No. 19 admitted.)  
 20 MS. REEVERS: And the last thing  
 21 we'd like to provide for the Court, I think  
 22 there was testimony by Dr. Heath concerning  
 23 his involvement in a case, Georgia vs.  
 24 Nance.  
 25 THE COURT: Yes.

413

1 MS. REEVERS: And we have procured  
 2 a copy of the order regarding the claim in  
 3 which he testified and would like to submit  
 4 that to the Court.  
 5 MR. MACLEAN: Your Honor, I would  
 6 object to this. This is a trial level court  
 7 order. It contains findings based upon  
 8 testimony that was presented in that  
 9 proceeding, presumably. It does not  
 10 identify whose testimony the Court relied  
 11 upon. This is hearsay and it also doesn't  
 12 contain any statements of a witness based  
 13 upon direct knowledge and, therefore, is  
 14 inadmissible. And it does not fall under  
 15 any of the exceptions that I'm aware of.  
 16 MS. REEVERS: Your Honor, we  
 17 contend it's a certified copy of an order in  
 18 a court regarding the exact issue that's  
 19 being litigated here and that it would  
 20 certainly assist the Court with respect to  
 21 the issues.  
 22 THE COURT: The Court sustains the  
 23 objection. The questions that were asked  
 24 Dr. Heath about that case were, as I recall  
 25 them, one, concerning I guess sort of his

414

1 qualifications or experience in testifying.  
 2 And then I remember the state had  
 3 cross-examined him. Part of it I think was  
 4 perhaps to show bias on his part or an  
 5 agenda. On either one of those items it  
 6 would not be relevant, so the Court sustains  
 7 the objection.  
 8 MS. REEVERS: Yes, Your Honor.  
 9 That concludes the state's proof.  
 10 THE COURT: Thank you. Is there  
 11 any rebuttal proof?  
 12 MR. MACLEAN: Nothing further,  
 13 Your Honor.  
 14 THE COURT: That closes the proof  
 15 in the case. The next step is for the Court  
 16 to hear closing arguments. Let me inquire  
 17 of counsel. Do you want a break to put your  
 18 thoughts together or are you all ready to  
 19 proceed? Mr. MacLean?  
 20 MR. MACLEAN: Your Honor, either  
 21 way. Whatever Your Honor would like.  
 22 THE COURT: I'm fine. I'm ready  
 23 to go, but I know sometimes lawyers like to  
 24 take a few minutes to prepare their  
 25 thoughts. If you all don't need that,

415