984 Stephen Mantooth - Cross 1 A. Right. When you -- before you moved out to 2 Q. 3 the West Coast, you lived here in the Knoxville Oak 4 Ridge area. 5 Α. Yes. And you worked for, what, six years 6 Q. 7 in and around the Oak Ridge facility? 8 Yes. Α. 9 Q. And you wore a dosimeter film badge --10 A. 11 Yes .. -- every day for six years? 12 Q. 13 I think I was only routinely badged Α. 14 for two -- approximately two years I was at the K-25 15 facility. Okay. And do I remember you 16 Q. 17 telling me that you never registered anything on 18 that badge that worried you? No. No. 19 Α. 20 So what I said is true, you never Q. . 21 registered anything on the badge? No, that worried me. 22. I did Α. 23 register positive dose on the badge, of course. Okay. It didn't worry you? 24 Q. 25 Α. But it didn't worry me.

The first the state with a second state with the second state with
985
Stephen Mantooth - Cross
Q. Do you know how much radiation you
2 got during that two-year period?
3 A. Oh, seems to me like it was on the
4 order of 50 millirem, something like that.
5 Q. Millirem?
6 A. Yes.
7 Q. And a millirem is, what is that,
8. one-one millionth of a rem?
A. One-one thousandth of a rem.
Q. Thank you.
Now, I know that you don't you
didn't calculate and to be fair, you don't feel like
13 you can calculate what Mr. Payne's radiation dose
14 was at the railroad but you would agree with me that
15; if wasn't likely to have been more than 10 rem, was
16 it?
17 A. I wouldn't care to answer that.
18 Q. You don't
A. I guess you're wanting a yes or no.
20 No.
21 Q. Not likely to have been more than
22 10 rem.
23 A. Yeah, I'm not sure that since we
24 have no information, okay, if you will allow me to
25. answer it as more as unlikely, sure, I'll answer
23. Answer re da more da unithery, sure, i ii answer
Truesdel & Rusk

Stephen Mantooth - Cross that, it's unlikely to have been 10 rem. .1 2 Okay. Q. 3 THE COURT: Talking about total? MR. JORDAN: Yes, sir. 4 5 A. Yeah, total. (BY MR. JORDAN) And it's actually 6 0. 7 unlikely to have been even as much as 5 rem, isn't 8 it? Let's just stay with the 10 rem. 9 A. 10 Q. Okay. 11 I wasn't going any lower than 5.... 12 I'm not going to 3 and to 2 and to 1, so let's go 13 back to 5 for a minute. Would you agree with me that it was unlikely that it was as much as 5 rem? 14 15 MR. SHAPIRO: I'm objecting, I don't know what the question asks, what 16 17 period, when. THE COURT: It was a total 18. radiation he would have received during the 19 time that he may have been at Witherspoon as 20 21 I understand it; is that correct? 11. 6 1 MR. JORDAN: That's correct. 22 23 (BY MR. JORDAN) Unlikely as much as Q. 24. 5. A. And, Mr. Jordan, that's a difficult 25

Truesdel & Rusk

Stephen Mantooth , Cross ,

question since we don't -- we don't really have a clue, have a clue what he could have been exposed to during that period. You know, that's a -- that's a difficult question to ask -- to answer.

I sweet true

I mean, there was -- I think that there was sufficient material there in that scrapyard and on that train that given the right circumstances and internal dose of 10 rem to the lung could have happened. Now, is it likely? Don't know.

Q. Just because it was there doesn't mean he was exposed to it, does it?

A. Just because it was there doesn't mean -- doesn't 100 percent mean that he was exposed to it but we don't know he wasn't either.

Q. And just because that's a source of radiation sitting over there on the bar and I'm standing over here, I'm in the same room with it, but it's not exposing me, is it?

A. Sure it is, that's a source of radiation, to some level it is absolutely exposing you to some level of radiation.

23 Q. Let's say that's a low level
24 exposure right there.

Α.

25

127

1

2

···3

4

24.

6

. 7

19

12

1:3

14

3.5

16

17

1.8

19

20

21

22

110 18

1.10

1 11

17年.

in'

i. ...

1. 1 .

5

1 diam

Truesdel & Rusk

Well, then you are going to be

	98
• •	Stephen Mantooth - Cross
1	exposed lower because you are further away from it.
2	Q. Is that going to hurt me?
3	A. Again, it depends on the size of
4	the source. I have encountered sources in my career
.5	that you would have been in danger at that distance,
6	so
7	Q. Dose reconstructions, Dr. Dooley
8	did a dose reconstruction for us, and I know that
9	you have some concerns about some of the assumptions
10	he made, not his methodology.
11	A. Not his methodology.
12	Q. The methodology he used, the
	equations he used, maybe the software package, I
14	don't know
15	A. It's pretty standard stuff.
16	Q. Standard stuff.
17	Okay. So you don't quarrel with
18	that, you just quarrel about some of his input, I
19	guess; is that fair?
20	A. That's fair.
21	Q. Now, just generally and not talking
22	about Dr. Dooley's dose reconstruction, but would
23	you agree with me that a dose reconstruction is a
24	legitimate thing to do in your profession?
25	A. Generally yes, it's a legitimate
1 .	Truesdel & Rusk

•

.

N. 11

App. 125

	98
· · · 1	Stephen Mantooth - Cross
1	thing to do.
2	Q. And there is a program, a workers'
3	compensation program for radiation workers?
4	A. There is, yes, sir.
5	MR. SHAPIRO: Your Honor, could we
6	approach the bench, please?
7	(Bench conference out of hearing of jurors.)
• 8	MR. SHAPIRO: I thought we had a
9	motion in limine that they were not going to
10 -40	mention any other particular law that has no
11	application to the FELA, Mr. Jordan.
12	MR. JORDAN: Mr. Shapiro, we did
13	have such a motion and I understood that the
14	agreement was I would mention it, I would
15	talk about the methodology, I would not talk
16	about its applicability to the Payne case
17	and I don't plan on talking about any of
18	that, nor would I talk about the fact that
19	Mr. Payne would not have been eligible for
20	compensation under it. I'm talking about
21	THE COURT: Just going to talk
22	about methodology, that's all we are going
23	to talk about. Okay.
24	MR. SHAPIRO: So you are not going
25	to talk about the probability of causation?
. Ľ	

	Stephen	Mantooth - Cross
1	much.	
2	- +*	Q. 500 millirem?
.3		A. What?
4		Q. 500 millirem?
1 2 3 4 5 6		A. It's 500 millirem to the maximally
1.	in a	individual, it's a hundred millirem average.
0	exposed	
7		Q. Do you know if Mr. Payne's
8	exposure	es ever exceeded 500 millirem?
·		A. I do not know, no.
10		THE COURT: Is that within a
11	ei., .	certain time or is that lifetime or what are
12	11	we talking about?
13	, F	A. It's per year.
14		THE COURT: Per year.
15		THE WITNESS: Yeah, per year.
	:	
16.		MR. JORDAN: May I approach one
17		more time, Your Honor?
18	Sec. 4	THE COURT: Yes.
19.	t.	Q. (BY MR. JORDAN) I'm going to ask
20	you abor	at another publication from the Health
21	Physics	Society, Mr. Mantooth, and I'll hand you a
22	copy of	the article: It's called "Radiation Risk
23	and Per	spective, Position Statement of Health
24	Physics	Society, " adopted January 1996, revised
25	August	2004
	4	the second se

1 2 1.142

	Are you familiar with that one?
	A. I'm not sure that I am. And let me
	say, these are available on line, I could go look at
	them if I wanted to, it's just that I don't believe
	I've actually read this one.
	Q. Okay. Well, you're welcome to
	spend as much time as you'd like reading it.
	Let me just read you a sentence and
	see if you agree with what they say, the Health
	-Physics Society talking about radiation risk and
	perspective on the first page.
	A. Uh-huh.
	Q. Second paragraph.
	A. In italics?
	Q. Yes, sir. Says "There is
	substantial and convincing scientific evidence for
	health risks following high dose exposure."
•	You would agree with that?
	A. I would agree with that.
	Q. "However, below 5 to 10 rem which
	includes occupational and environmental exposures,
	risk of health effects are either too small to be
	observed or are nonexistent."
	Would you agree with that?

1.

995

Truesdel & Rusk

.

1 3

App. 128

996 Stephen Mantooth - Cross small to be observed. 1 O. And are nonexistent? 2 Well, since you really don't know 3 A. what's causing the health effect down in that lower 4 region of exposure, I mean, maybe the health effect 5 was caused by radiation, maybe it wasn't so I'll 6 give them that, or are nonexistent. 7 Okay. Q. 8 à. · But certainly, the first part that 9. Α. they are too small to be observed, they can't be 11 differentiated by effects that are caused by other 12 environmental things. Yeah, I understand. 13 0. But what they are telling us is 14 below 5 to 10 rem risk of health effects are either 15 too small to be observed or are nonexistent and you 16 told me just a minute ago that, in your mind, it's 17 unlikely that Mr. Payne had radiation exposure of up 18 to 10 rem, didn't you? 19 20 A. That's what I said. There's one other statement in 21 0. there that either I don't have a blowup for or I've 22 lost it. 23 It's on the second page. I want to 24 25 ask you about it.

h here Stephen Mantooth - Cross

. . .

4 1.1

1 4

2

1.6

* 3

1

5

6

16

. 22

23

24

25

11 ...

H.

21

What does radiogenic health effects and mental 3 mean?

A. Means radiation caused health The same isto while effects.

1. . . Y . . . Y And as it says up there, it primarily means cancer.

7 Yes, that's what we are talking Q. . terra in the second second about here, cancer. L. Barrok Mar . p: 8

AN ALL STRATES 19 Do you agree with that sentence, 麗行 "radiogenic health effects, primarily cancer, have 10". 11' 11. : been demonstrated in humans through epidemiological W 12. studies only in doses exceeding 5 to 10 rem 13 delivered at high dose rates. Below this dose, 14 estimation of adverse health effect remains 15 speculative"?

> Absolutely. Yes. A. . .

17 And that last sentence, 0. 13 "epidemiological studies have not demonstrated 19 adverse health effects in individuals exposed to small doses, less than 10 rem, delivered in a period . 20 of many years." 21

Do you agree with that? 12 Yeah, I would agree. I think the A. key word is they haven't demonstrated adverse health effects.

Truesdel & Rusk

1

2

26 3

. 1

2.1

4

5

6

7

8

.9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24 1

25

a the end of the

Q. And you told us a few moments ago that it was unlikely in your mind that Mr. Payne had up to 10 rem. Right?

A. That's what I said, yes. 1. 1. 1. · · · · · You talked a little bit about the 0. Witherspoon facility and I want to touch on that just a minute.

.Did you tell me when I was with you out in Washington that you thought you might have been there?

Α. I believe I was, yeah, when I was at K-25, we were asked to go to a site in Vestal. And so memory is foggy, I don't remember whether that was the 901 site or whether it was the other site but we basically found a Sealink container full of drums with uranium tailings.

1. 1. 1. 1. Okay. You don't really remember Q. where that was?

8. . .

..... dhe tri Malanch - Maas

No, I don't. Α. 1 2 2 and halfan 194 There were a couple of sites, 0: . . . separate and discreet sites out there that -- that

Mr. Witherspoon did something with. Right? part of a contraction of the Α.

an Arteria

Yes. Okay. The one we are talking about Q..... here is -- is 901 Maryville Pike.

Truesdel & Rusk

App. 131

998

1008

Stephen Mantooth - Cross

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1

1

1

1. 15.10

. ...

- +19 - 18 - 4+ ⁻

A. Yeah, at that point it's just identified as, okay, we are going to look at this further.

Q. Okay. Now, how many such discreet sites of plutonium were, there at the Witherspoon site?

A. In that one report, I believe it
 was like three out of five samples in one case,
 eight out of eight on another, something like that.
 Q. Okay. Where were they located; do
 you know?

A. They were surface soil and I think they were in the -- they were actually in the non-Candora triangle area. And this was actually after all -- after the metal was gone. These samples were taken essentially after the site was cleaned up.

Q. The Candora triangle is a little triangle of land that's about a half acre maybe and that's where the trains came in?

A. That's my understanding, as I read through the documents, I find people flip-flop back and forth but I believe for our understanding, the Candora triangle is the part that the railroad owned.

3 1009 Stephen Mantooth - Cross 1 1 . 1 0. Yeah. And that's where Mr. Payne worked, isn't it?" 2 . 3 Primarily that's where he would be. A. Unless he was off looking for the yard foreman. 4. . . 5 So if the plutonium was found in Q. the non-Candora triangle, I take that, and you tell 6 4 . me if I'm wrong, to mean that it wasn't found in the 22 民族 Candora triangle? .8 9 No, actually, there were positive Α. 5-1 10 results but they didn't exceed the threshold. 75 11 Okay. Let me redial for a minute. Q. 12 Okay. :A. . . Are you referring now to the 13 Q ... 14 Candora triangle? 4 10 In the Candora triangle, yeah, 15 the -- there were -- analytical results were 16 17 positive for plutonium but they just didn't exceed that particular study's threshold that they were 18 going to do the health --19. To get anybody's attention. . 20 Q. 21 Right. · A. Okay. The three that did get 22 0. 23 somebody's attention because they reached the threshold, not necessarily being a hazard but 24 25 getting somebody's attention, they were not in the

Stephen Mantooth - Cross 1 Candora triangle? They were not in the Candora Ά. 2 . : . triangle. Q. So you don't have any evidence that 4 Mr. Payne was ever exposed to those three sites, do 5 6 you? To the ones that were in the 7 A. non-Candora triangle? 8 9 Q. Yes. A. No. 10 And if there were positive sites in 11 Q. the Candora triangle and that's where he worked, 12 they were not of the level to get anybody hot and 13 14 bothered about. Right? Well, not in 2007. 15 Α. 19.00 Right. 16 Q. After the site had been cleaned up. Α. 17 It's hard to say what it would have been in 1964 or 18 and a strate a strate of a strategy ÷., 15. 19 Q. Because we don't know. 1. 17 24 20 Because we don't know, that's A. 21 right. 22 THE COURT: Getting close to the 23 end? ·••• 24 MR. JORDAN: I am, Your Honor, I'm 25 Truesdel & Rusk

MAR, Same

49.5

÷.	
	1023
	Stephen Mantooth - Cross
1	I'm trying to shut it down.
2	(Brief pause.)
3	Q. (BY MR. JORDAN) You know, finally,
4	Mr. Mantooth, you told us told us about the state
5'	of Tennessee Department of Radiological Health, they
	are the agency in the state of Tennessee that's
: ; 7	supposed to take care of everybody in terms of
(h)	radiation exposure; is that right?
·	A. That's true. I think.
'r · 10	Q. Have you had professional
wrs 11	interaction with some of those folks from time to
12	time?
13	A. I would have to say no.
ià -	Q. Okay. Now, in all the mass of
15 .	materials Mr. Shapiro sent you, did you see where
15	the Tennessee Department of RAD Health had had quite
17 :	a long and intense interest in the Witherspoon site
18	at 901 Maryville Pike?
19	A. Yes.
20	Q. They had been out there since the
21	1960's, hadn't they?
22	A. Yes.
23	Q. They had sent health physicists and
24	industrial hygienists and other investigators out
25	there to test for radiation exposure, hadn't they?

	Stephen Mantooth -, Cross
1	A. Right.
2.	Q. And they did that in the '60s?
3	A. In the '60s, the '70s.
4	Q. And they did that in the '70s?
5	A. Yeah.
6	Q. And they did that in the '80s.
- · · 7	A. Yes.
8	Q. And I guess one of the things they
. ġ	were looking for is plutonium.
10	A. Right.
11	Q. And uranium and anything else that
12	was radioactive and potentially harmful. Right?
13	A. Yes.
14	Q. Now, those folks at the Tennessee
15	Department of RAD Health knew that there was a
16	railroad out there, didn't they?
17	A. I'm sorry.
18	Q. They knew that there was a
19	railroad?
20	A. Oh, yeah
21	Q. They knew there was railroad tracks
22	and they knew that the railroad crews would come in
23	and switch there periodically as we said.
24	Mr. Mantooth, do you have any
25	evidence that at any time in the 1960's or the
, í 2014	Truesdel & Rusk
• •	THEORET. U. HUDA

3.	1029
i.e.	Stephen Mantooth - Cross
÷.	1970's or the 1980's anybody from the Tennessee
2	Department of Radiological Health even so much as
.' .3	picked up the telephone and called somebody at CSX
4	and said, fellows, you got a problem with radiation
5	with your crews, did they ever say anything like
6	that to them?
· · · · · · · · · · · · · · · · · · ·	A. There were I did see things
8	where there were communications between the
9 .	Department of Health and CRX or CSX, I can't
10	recall any specific, like, warning or anything else
íı ·	that had to do with the hazards to the railroad.
12	Q. What you're talking about, and tell
13	me if I'm wrong, were communications between the
14	railroad and the RAD Health people involved a
	gentleman by the name of Paul Maynard.
-16	A. That was one.
17	Q. Who was a foreman at the railroad
18	who called them and said tell me if I have got a
19	problem out here. Right?
20	A. That, as well as Mr. Freeman and
21	Mr. Badders were in communication as well back in
22	the '80s.
23	Q. But you don't find any evidence
24	that the state of Tennessee ever told the railroad
25	they had a radiation problem or that its crews had a

• • •	1026
м ² к	Stephen Mantooth - Cross
1	radiation problem, did you?
2	A. I did not, no.
3	Q. You don't find any evidence that
4	the investigators for RAD Health that were out there
5	for a couple of decades ever said to the railroad
6	you got such a problem, you got to quit coming here,
7.	they never said that, did they?
8	A. No, I don't believe they did.
9	MR. JORDAN: Thank you.
10	THE WITNESS: You're welcome.
11	REDIRECT EXAMINATION
12	BY MR. SHAPIRO:
13	Q. Mr. Mantooth, I promise to this
14	jury three minutes or less, okay?
15	In the first 20 years Mr. Payne was
16	there, did he ever get provided a simple film badge?
17	A. No, there's no records to that.
18	Q. And when you talked about the
19	regulations that you said you felt were not complied
20	with, would it matter whether the railroad had
21	gotten I'm sorry, I got to figure out how to word
22	the question properly.
23	Let me start this over.
24	Did the railroad ever produce a
25	bill of lading from any shipment from Oak Ridge to

Truesdel & Rusk . .

• .

Α.

Q. Could they have put a car not at the front of the train that had contaminated scrap in it?

Yeah, or you know .--

Q. Yeah. This 10 millirem or 10 rem, I really don't know what it means, I'm not sure anyone here does, but let me ask you this, one trace of plutonium that came off one piece of scrap at the Witherspoon site, was that enough to be a health hazard?

It may be low but it could be A . enough and I'm glad you brought that up. The 10 rem, I'll be short, the 10 rem essentially is a level where we have clearly demonstrated health effects. The below, the 5 rem piece is -- it doesn't mean there's not a hazard or there's no effects, it just means you can't tell them, we are talking about cancer, you can't tell it from what happens naturally. Doesn't mean it doesn't happen, so, I mean, each of us sitting here have a 30 percent chance of getting cancer about and maybe a 16 percent chance of dying of it if we never get the first rem of radiation so when you get down into those low levels, you just don't know. You just don't know. So to answer your question, one atom I

Truesdel & Rusk

5. 12 m. 5. 5. 42

1981 N. 44

App. 139

e and

1

2

3

.4

5

6

7

8

9

10

11

12.

13

14

15

16

17

18

19

20

21

22

23

24

25

1月1月1日1日 居下来。

l activity and start as

Stephen Mantooth - Redirect

1 :

2

3

4

5

÷,

6

7

8

9

10

11

12

13

14

15

16

17

18

.19

20

21 ..

22

23

24 .

25

63 + 1.C.

F ...

think may be rare but one atom could cause cancer. h type 1 18 . 18 W. A. C. MR. SHAPIRO: That's all my questions. MR. JORDAN: Nothing further. \mathcal{H}^{+} Thank you, Mr. Mantooth. V 4 . J . D / THE COURT: Thank you for being here. 21 4 100 If we can try to start at 8:30 again in the morning. One little brief comment to the ¥ jury. When we were preparing to have this

> case, I asked them how long it was going to take and how long everybody would take. You can go ahead, Mr. Mantooth.

> And we are already a day and a half behind schedule now.

I know we got Thanksgiving coming up. You all be thinking about what your wishes would be. I'm going to try to get a more accurate estimate in the meantime before tomorrow about what's left in this case. But be thinking what you would like to see happen as far as the time you spend here in court. We got a lot of options, just go on, skip days, we can come in the

Truesdel & Rusk

1334

Alta Groover - Cross tri. the railroad. 1 2 Uh-huh, there's just spur tracks A. 3 there. 4 And the deliveries were mostly in Ο. 5 the afternoon? A. ' Yes, as far as I know. 6 7 And you all closed at around 4:30. Q. 8 Α. Yes. 3: The workers did, true? 0. 9 Right. 10 Α. . . 11 But you worked longer. Q. 12 Α. I worked until 5:00. 13 And based upon your knowledge, did Q. 14 there come a time where Mr. Witherspoon began the process of bidding on scrap metal from Union 15 Carbide, for example? 16 A. Yes, that was in the 60's. He bid 17 a lot. We got a lot of material from Union Carbide 18 19 whether it was contaminated or not. In other words, you were in the Q. business of scrap metal. Α. Right. We reviewed those records. Q. You had some records of sales, and the first sale of metal to Mr. Witherspoon was

.

20

21

22

23

24

25

	r nº s	The second
n [э.,	1072
	÷ .	1073
1	· k	Alta Groover, Tross
1 *	i i	sometime in 1963.
	2	A. Yes
	3,1	o
	а, 	Q. And the first delivery of this low
	4	grade metal was in 1964.
	5	A. Yes.
. 17.	6	Q. And then the last delivery of this
.1.**	7	low grade metal was in 1972.
. 请	8	A. That's correct.
dy.	Ξģ.	Q. So between 1964 and 1972 you all
棚	10	were in the business of this low grade radioactive
	11	surface contaminated metal, true?
	12:	A. That's correct.
•:	13	Q. White Wing. What is White Wing?
	14	A. White Wing was a yard at Oak Ridge
-1.7.	15	where they stored the contaminated metal.
	16	Q. Was that a gathering place for like
	17	Y-12 and K-25 and ORNL? They would bring all of
	18	their metal to this place.
	1.9	A. I think it was, but I'm not
	20	Q. There was a smelter out there, I
• •	2.1	believe.
	221	A. There was a it was just a yard,
	23.0	and we moved a crane in and loaded some of the
	24	material that he had bought.
:	25	Q. There was not a railroad siding out
J . 3	1. A.	Truesdel & Rusk
		TIMEBUCT & RUSK

App. 142

1074 Alta Groover - Cross 1. ÷, there, apparently. 2 Α. No, there was not a railroad 1.15 3 siding. So all of the metal that was 4 Q. 5 delivered to White Wing would have been taken to your place of business by truck. 6 7 That's correct. Α. Okay. Now, you've told the jury 8 Q. 9 about this low grade material through these 10 licenses, and if we looked at the licenses -- ... THE COURT: Maybe the jury has 11 $\mathcal{R}_{\mu} \leftarrow \mathcal{R}$ 12 them. MR. BAKER: I'll just use a sample 13 one. That's okay. Keep them. 14 15 (BY MR. BAKER) These licenses Q. provided that these folks at Union Carbide or Martin 16 17 Marietta or any nuclear facility, they promised you that they would provide this metal and it would be 18 19 very low grade. At a set again 20 2 140 A. That's correct. 20 Q. . . And they were the people who were 21 selecting the metal that was low grade; is that 22 true? 23 24 A. Uh-huh. And you relied on them to provide 25 0. Truesdel & Rusk" a la ser en contrata : g bond in the set of the

. · · · · · · · · · · · · · · · · · · ·	
· · ·	1075
	Alta Groover - Cross
• · · i .	you with this low grade material.
2	A. Yes, our contract stated the amount
· · · · · · · · · · · · · · · · · · ·	of surface contamination that it would contain.
4	Q. Okay. And they were the ones who
5	were doing the they tested the metal.
6.	A. Right.
7	Q. And you relied upon their expertise
· · · · · · · · · · · · · · · · · · ·	to do the testing.
· 9	A. Yes.
10	Q. And that would be Union Carbide,
i ni n	Department of Energy or any one of these places who
12	was responsible for the metal.
13	A. That's right.
14	Q. And then will they would load it on
15	these rail carries, correct?
1:5	A. They would yes, it would either
. 17 1	be loaded on a rail car or truck, depending.
18	Q. Some of the metal was delivered by
19. 1	rail and it was, for example, Union Carbide or one
20 0	of these other places, they were the shippers and
21 t	they would to the loading on to the railroad cars.
22*	A. Yes.
23	Q. And then the metal, on occasion,
24. 1	would be shipped by rail to your place of business.
25	A. Yes, that's correct.
	Truesdel & Rusk

App. 142b

	107
	Alta Groover - Cross
	and the second
, ļ	Q. Now, you've told us that in 1972
2	you received your last shipment, and that was when
3	the last metal was brought in.
4	Tell the jury or give the jury some
5	idea of whether this metal, this low grade
6	radioactive metal, was a large part of your
7	business, a small part of your business or what.
8	A. It was a small part of the business
9	because we had all of our container scrap, plus the
'nò	and the second
10	scrap that we purchased across our scale. Also, he
	did demolition where he would tear buildings down
12	for the steel and so forth.
13	Q. I believe you told us that this was
14	about five percent of your business.
15	A. Five to ten percent, I would say.
16	Q. Okay. And during 1964 to 1972, you
.17	all continued in the business of processing and
18	receiving this non-contaminated metal as you had
19	done before.
20	A. Yes.
21	Q. Now, then after 1972, this business
22	continued on.
23	A. Yes, it did.
24	
	Q. In other words, you all continued.
25	to receive rail cars with metal that was
	Truesdel & Rusk

.....

a.

۰.

App. 142c

1	non-contaminated.
2	A. Yes.
3	Q. And then you continued to receive
1	empty rail cars that would come in so that you coul
5	fill them up with the non-contaminated metal and
	send them out to all of these mills that you were
,	talking about.
	A. That's correct.
	Q. That's how you made money.
к.,	A. Uh-huh.
	Q. The mills would pay you money for
	the metal.
	A. Yes, we went through a broker.
	Q. So if a rail car was delivered to
	you, say from 1972 on, there would be no radioactive
	material in that rail car.
	A. That's correct.
	Q. And before 1972, you all received
	plenty of empty cars that had no metal in them so
	that you could use them to fill them up with metal
	to send them to these mills.
	A. Yes.
	Q. Now these licenses, when we look at
	the licenses, the various licenses, those were
	contractual agreements between Witherspoon and the

1083 Alta Groover - Cross 1 it was. 2 Do you have a memory -- this is Q. 3 difficult. I mean, it's been a long time. : : Do you have a memory of his being 4 out there on your property for like an entire 5 6 several month period of time? 7 telet en eren He could have been. He was there a lot but I don't know, you know, whether it was for 8 months at a time. 9 Q. And you've told us about your 10 11 health physicist, Mr. Fields. 12 Α. Yes... 0. He's deceased now, isn't he? 13 14 A. I honestly have not had any contact 15 with him for the last 15 or 20 years, so --Did there come a time when some 16 Q. 17 publicity developed there in the early to mid 80's where the railroad stopped delivering cars to the --18 19 Α. Yes. -- to your property? 20 0. . A. Yes, I think in 1985 they decided 21 to -- that they would bring the cars up to the gate, 22 23 and then it was our responsibility to bring them into the yard to load the -- you know, so that they 24 would be ready for loading. 25

Truesdel & Rusk

1.

No. 1928

Alta Groover - Cross : 1 That gate was located over there at 0. 2 the Candora Road crossing. 1 3 Right, uh-huh. A. 4 Q. Because right next to you was the 5 marble company. What was the name of that company 6 right next to you? There was some sort of cement 7 8 company, wasn't there? 9 Oh, Tennessee Asphalt. A. , i Right. Right there. 10 Q. 11 Α. Yes. 12 If I can just take this, I'm going 0. to circle Tennessee Asphalt. That was just right 13 next to you. 14 15 A. . Yes. And then over -- then there's 16 0. 17 Candora Road. I've circled that. 18 Uh-huh. Α. And they stopped there at Candora 19 Q. 20 Road before they came into the property. 21 Α. Right. And they would just drop the cars 22 0. 23 there, and then it would be your company's job to go out there and use some sort of device and pull them 24 25 in.

Truesdel & Rusk

	113
	Terry Rhodes - Direct
1	not, that you sent to me?
2	A. Yes, some hand sketches, yes.
3	Q. Let me see if I can find them.
4	Maybe you can first of all I shared these with
5	defense counsel before the deposition and I marked
6	these 2-A through I think G. Are all these your
7	handwriting?
3,	A. Yes, they are. They are my
9	handwritings.
D	Q. Why did you do these?
	A. I did these again when you talk
	about loop holder and you talk about air compressors
3	and you talk about engines. I am quite familiar with
	them, but I am sure you people in this room aren't.
	Anyone who would be listening to this deposition
	would not be familiar with them.
•	Q. Okay, let's talk about briefly 2-a,
	you got it's a little small, I hope the
	videographer can get in on this. Is one a top view
	and one a side view or what are we looking at?
	A. You would be looking down at the
	top of the locomotive. This is supposedly a top
	view and this would give you a side view.
	Q. And so you just briefly can you
100	tell any areas that you just recited that you

	Terry Rhodes - Direct
i	removed asbestos from on a typical engine?
2	A. Yes, the radiator would have sat
3	above the air compressor compartment and over top of
4	this area of the locomotive. The line would have run
5 .	overtop of this area and hooked to each side of the
.6	loop holder.
7.	Q. And what kind of line, is that a
.8	pipe?
. 9	A. That is a pipe, and I would say
10	that pipe is roughly three inches.
11	Q. Anything else on this diagram here
12	that you want to
13	A. You have asbestos there, the air
14	compressor feed line would be on the top of the air
15	compressor. It rolled over and it come over like
1.6	this and around. It was like an S pipe. And it
17	hooked into what we had, I called it a temperature
: 18	gauge on the loop holder rack, right behind the loop
19	holder rack.
20	Q. Where is the crew in relation to
21	this drawling?
22	A. The crew would be in this area up
23	here.
34	Q. Okay. Well, that area that you are
25	pointing to are nowhere near them. What is the

App. 146

	Terry Rhodes - Direct
1.	significance of that, if anything?
2	A. This these areas here are all. friable. These materials that were used in these
4 5	particular areas, all between the engine and the asbestos used over top of the loop holders and off
6	the air compressors was a wrap, asbestos wrap that
7	became friable.
. 8	Q. But what does that have to do with
9	the crew cab on the other side of the engine? Go
10	ahead sir.
iı	A. Okay, what it has to do is when
12	these things become friable and these materials
13	this you have to understand a locomotive
14	vibration when you have them running. These asbestos
15	fibers would be released and they would move
16	throughout this area and they are connecting to the
17	crew cab.
18 .	Q. Well, let me see here. Here is
19	another drawling, 2-b, this is another drawling that
20	you done, what if anything is being shown here of
21	any relevance?
22	A. AS to
23	Q. As to asbestos?
24	A. This would be your cab area. And
25	these are your seats, three seats across. There

		1141
•		Terry Rhodes - Direct
	. 1	, would be a cab heater here, in front of the engineer
	. 2	seat, this would be the engineer seat in front of
•	3.	the control stand. This would be brakemen or fireman
• • •	4	seat whichever you would choose to. These cab
	5	heaters had asbestos that ran through the floor, the
	6	piping ran through the floor and up to the cab
•	7	heater floor.
4.7.	8	Q. Okay. For those of us who are not
ď;	. 9	on engines and are not familiar with them, what do
	i,o	you mean, what does a cab heater do?
۰.	11	A. A cab heater is it is the same
-	12	as a furnace in your home, it is heating device to
	13	supply heat for the crew in the cab.
	14	Q. Did the typical cab heater have any
• •	15	fan control?
	16	A. Yes, it had a three speed fan.
·.	1.7	Q. Were the cab heaters on these
	18	classes of engines that you worked on, was it forced
*	19	air or radiant air?
	20	A. They are forced air.
	1 A.	Q. Did it have a dial so a crew member
14	22	Could turn the dial? A. Yes, there would be a dial on the
	23. 24	A. Yes, there would be a dial on the left hand side of the cab heater itself.
•	24	
	25	Q. Okay. That is another little sort
		Truesdel & Rusk

Truesdel Rusk &

	1142
	Terry Rhodes - Direct
1	of a view of a cab heater?
2	A. Yes, this is a view of a cab
3	heater. Similar to what they would look like on
. 4	TP9's, 30's, 35's, had them.
5	Q. Okay, let me go here. Here is 2-c.
6	What are you drawling here?
7	A. This is an overhead view of what
8	the piping would look like running underneath the
9 .	cab floor of the locomotive if you can see through
10	the cab floor. This is the piping diagram of the cab
11	heater piping. There was a feed and return line to
12	both cab heaters, and this would be wrapped in
13	asbestos.
14	Q. How many feet long is those pipes,
15	I mean, this is obviously not in any particular
16	scale here. How many feet are we talking about?
17	A. You are talking over all length of
18	those pipes would be I would estimate somewhere
19	between 10-12 feet.
20	Q. Okay, well let me see. A 2-d, what
21	is this?
22	A. This is what I was talking about
23	earlier. These cabs heater lines, these are cab
24	heater lines going up to the floor. There was a
25	floor inside the heater itself, it looks like
N	

1	radiator. If you wanted to know what a cab heater
2	floor is, it looks like the radiator in your car and
3	these are your pipes that come up through the floor
4	and connect there. These pipes would be wrapped in
5	asbestos there.
6	Q. Well, would you personally handle
.7	removing asbestos insulation from those pipes?
8	A. Yes.
9	Q. Would you have to take some sort of
10	any type of cover off the cab heater to get in
11	there? How would you get access to the pipes?
12	A. This has a shield that fits like
13	this, it is molded to the front of the cab heater.
14	And you would remove that shield and then you break
15	these marmons loose and you can remove this core
16	from the inside of the cab heater.
17	Q. Okay, in the times you
18	personally did this type of repair?
19	A. (Indicates).
20	Q. You are nodding, can you say
21	verbally yes or no. Did you do this type of repair?
22	A. Oh, I am sorry, yes I did. Yes.
23	Q. Okay, when you would take the heat
24	I'm sorry, a heat shield or the metal cover off,
25	would you observe what was going on inside of there?
S	

	Terry Rhodes - Direct
1	A. Yes, you have what looks like to be
2	asbestos residue from again, this was friable
З.	asbestos in these areas on older locomotives. And
4	what you would see is residue build up inside this
5	cab would be laying down here and you would see it
6	in the front or the back of the cab heater shield
7	when you took it off.
8	Q. You never worked as an engineer or
9	a conductor on engines, did you?
10	A. No sir, I did not.
11	Q. You wouldn't normally be riding
12	with them when they did their work, would you?
13	A. No, I would not.
14	Q. How do you know if there is
15	vibrations inside engines?
16	A. We run locomotives in the test
17	shed. And they run at full throttle for eight hours
18	a day to be tested.
19	Q. Were there any vibrations?
20	A. They definitely vibrate, yes.
21	Q. Okay. Let me go to the next one
22	here. Here is a diagram here, it is real small. What
23	is going in this what are you showing here, 2-e?
24	A. Let me look at this carefully. This
25	is it is, I am trying to see. I am trying to read

		1145
	•	Terry Rhodes - Direct
	1	the writing and I can't read it from this angle.
	. 2	Q. Is it your drawing?
	3	A. Yes, it is my drawing. And it
	4	looks like what it is, it's another diagram of
	5	how cab heater piping is set up underneath the cab.
	6	Q. All right, 2-f, what is this?
	. 7	A. This is a if you were sitting
¥.,	8	down in a short nose of a locomotive and looking up
i.	9	into the cab, this is a two step area that goes up
••	io	into the cab. This would be the cab heater on the
\$ · ·*	11	back wall if you can see through this wall that
4	12	would have been here. And this would be the cab
a	13	heater on the engineer side. This is the toilet
	14	area. And this area here on the 30 and 35 was open
•	15	to the air brake compartment there.
	16	Q. Did some engines have two cab
	17	heaters and some have less or more?
	18	A. All the locomotives that I have
	19	ever worked on had two cab heaters.
	20	Q. And did those, were those the HVAC
•	21	or the heat system for the crew cab?
	22	A. Yes.
	23	Q. To get the air blowing into the
	24	crew cab, could one cab heater basically supply the
	25	air for the entire crew cab?
	1.1	

App. 152
Terry Rhodes - Direct 1 In the northeast sector I would say A. 2 probably not. 3 0. Anything additionally to add in 3-g, the last sketch you provided? 4 5 A. This would be a side view of what a -- if you were looking from the side. Again, this 6 is the short nose area of the locomotive, this would 7 8 be the cab. There was a water cooler down on the lower deck. 9 10 Does the water cooler or toilet 0. have any asbestos insulating material? 11 12 A. These areas had no asbestos insulating material. The material would be 13 underneath this cab floor and going to your cab 14 15 heaters. The area here that you are looking at, is always open. It is open to that air brake 16 17 compartment which is what we call that area underneath the cab floor. 18 19 What is significant about that, 0. 20 sir? That is significant because if you 21 A. have damaged asbestos underneath this cab floor. 22 The fibers can also move through the air floor in 23 this area and enter the cab. 24 25 Q. What is 2-h showing sir?

	1147
	Terry Rhodes - Direct
1	A. A 2-h, I gave that to you and again
2	it is nothing more then to identify for those who do
3	not know what I am talking about. I say marmom
4	couplers or O-Dots, they are just rough diagrams of
5	what we would be looking at.
6 .	Q. Is it a value?
7	A. The O-dots is an actually a
8	thermostat.
9	Q. Are these parts contained inside
10	the cab heater?
11	A. These parts are contained in the
12	cab heater piping that goes to the heater.
13 ·	Q. Now did you also supply I believe
1,4	it was a total of 17 photographs?
15	A. Yes, sir.
16	Q. And are these photographs that you
17	took yourself?
18	A. Yes, I did.
1 9	Q. Before I get ahead of myself here.
20	I want to move to introduce all of those sketches,
21	2-a through, that you have just identified. We will
22	go organize them in a minute.
23	Can you look through 21, 1 through
24	17, did you look through them before the deposition?
2'Ŝ	A. Yes.

Terry Rhodes - Direct

	Terry Rhodes - Direct
1	Q. Are those the pictures that you
2	just outlined that you took yourself, Mr. Rhodes,
3	except for one I believe which you are in the
4	picture?
5	A. Yes, these are pictures that I took
6	in 2002.
7	Q. Okay, I want to go through what if
8	anything that you want to describe about these
9	pictures, okay. And I have on the screen here,
10	Number One. What are we looking at here sir.
11	A. Picture 21-1 is a picture of the
12	air brake compartment underneath the cab floor.
13	Q. Is that helping to orientate where
14 .	some of the stuff is that you were talking about?
15	A. That is correct. When I say the
16	asbestos piping runs underneath this, this would be
17	the cab itself. This side of the locomotive would be
18	the brakeman side and the other side would be the
19	engineer side. This is the area directly below that
20	cab.
21	Q. And I am sorry, does the cab heater
22	pipe enter the floor of the engine in this area?
23	A. The cab heaters pipes on this
24	particular model have been have already been
25	taken out and abated. But they would come out up in

Terry Rhodes - Direct

	Terry Modes - Direct
1	this area and one of your cab heaters for your
2	brakeman side would be over here on a 38. The pipe
3	would run to here and then would cross over to the
4	engineer side and go up also into the engineer cab
5	heater.
6	MS. YOUNG: 61, 25.
7	THE WITNESS: Where?
8	MR. SHAPIRO: 61, 25.
9	Thank you. Actually we're going to
10	64, okay?
11	Q. And in 21-13, what is being shown,
12	is that another view of the same?
13	A. That is another view of the same
14	type and as you can see it gives you a closer view
15	of the damage to the asbestos and the fraying areas
16	on it.
17 .	Q. We talked about a lot of classes of
18	engines, GP38's, EMD, SD40's, were engines used for
19	how long were engines in use generally that were
20	coming into your repair shop?
21	A. Railroads get as many miles as they
22	can from a locomotive as they could possibly get.
23	GP38's are still alive and well on the railroad.
24	GP40's, 45 excuse me, SD40's and SD45's are
25	still around.

Truesdel & Rusk

App. 156

Paul Maynard - Cross Ridge, if it was railroad cargo, it came through 1 2 that spur, didn't it? 3 It came from that spur when I was Α. there, yes. 4 5 And you've heard other evidence, 0. some of the stuff was probably brought by truck. 6 7 Right? Not all of it came through here. Right? You mean Witherspoon? 8 A. 9 Q. Yes, sir. I would say that -- from my 10. Α. knowledge of Witherspoon, he probably received more 11 12 of his stuff by truck than --More by truck. That's all right. 13 Q. So you knew that that spur that I 14 just showed you was closed down sometime in 199 --15 MR. BAKER: May I have a continuing 16 17 objection, Your Honor, to any reference to this through previously stated rulings? 18 19 THE COURT: Sustain the objection 20 to that slide. Jury won't consider that. (BY MR. SHAPIRO) I want to talk to 21 0. you about some of the railroad manuals, Mr. Maynard, 22 because as a supervisor you told this jury there 23 were a lot of safety rules on the railroad. Right? 24 25 There were. Α.

Truesdel & Rusk

	Paul Maynard - Cross
1	MR. BAKER: Your Honor, Mr. Freeman
2	will be here and will be able to talk about
3	this document that this gentleman did not
4	prepare.
5	MR. SHAPIRO: Okay.
6	Q. (BY MR. SHAPIRO) You never saw it?
7	A. Not until this trial came. I don't
8	recall it.
9	Q. Okay. But you contacted him in
10	1985, and one of the reasons you contacted him that
11	year was you were concerned about health hazards at
12	the site. Right?
13	A. Correct.
14	Q. And you learned about a worker
15	MR. BAKER: Objection, Your Honor.
16	Q. (BY MR. SHAPIRO) that claimed
17	that Geiger counters went off scale
18	A. Never heard that.
19	THE COURT: Sustain the objection.
20	The jury will disregard that slide.
21	Q. (BY MR. SHAPIRO) Didn't you read
22	the newspapers, Mr. Maynard?
23	A. I don't recall reading that in the
24	newspaper.
25	Q. (BY MR. SHAPIRO) What

**

	THE COURT: The Court has already
2	ruled on that, Mr. Shapiro, move on.
	Q. (BY MR. SHAPIRO) Okay. And who was
	in charge of the overall health and safety in 1985
	and made choices, that's spelled wrong, on workers'
	safety, sir?
	A. In charge of our workers?
	Q. Yes. In charge of CSX's workers?
	A. CSX Transportation.
	Q. I mean, was Mr. Badders the
15	industrial hygienist in charge?
	A. He was part of it.
	Q. Now, I want to talk about that time
	frame from 1985 until 1991. You were gone but I
	think you know what we have been talking about in
	that time frame.
	Now, were there any changes that
	Mr. Badders instituted after 1985 when you talked to
	him that you can tell me about in the way the work
	was done at the Witherspoon yard?
	A. Well, yes, there was a change
	after
	Q. What was the change?
	A. Started in 1985. In 1985 we
	A. Dearcea in store in store

	Paul Maynard - Cross
1	cabs?
2	A. Well, I guess to a certain degree
3	it can.
.4	Q. Did you ever smell diesel fumes
5	inside the crew cabs?
6	A. Any time that I was ever around a
7	diesel engine, a diesel locomotive, inside the cab
8	or just in the general area, you are going to smell
9	diesel fuel, same as you do when you get in your
10	pickup truck.
11	Q. Have you ever seen black looking
12	smoke coming out of diesel stacks on engines before?
13	A. Well, to the degree of if you
14	say it's excessive, if you have excessive black
15	smoke, you probably have a malfunction, you have
16	something wrong with your engine. It may be a
17	busted ring, piston ring, or you could have
18 .	mechanical malfunction on it.
19	Q. You have seen that happen on the
20	railroad before, haven't you?
21	A. I have seen it happen, yes.
22	Q. And until it's fixed, it blows the
23	black smoke. Right?
24	A. Until it's fixed a lot of times
25	we shut it down.

1

Paul Maynard - Cross Q. Have you ever seen any smoke like 1 2 that? A. If that engine is smoking like 3 4 that, it's not going to make it another mile. MR. BAKER: Put it back up there, 5 6 I'd like to see it some more. 7 Q. (BY MR. SHAPIRO) Is that an engine for the L & N Railroad? 8 9 It is. Α. 10 I don't know where this picture was Q. 11 taken, but can we agree --12 Α. Well, I can, but I can tell you 13 with 30 years of rail experience that that is an engine with a serious mechanical malfunction. 14 Okay. Now, this is a newer engine, 15 Q. this 2742 and --16 MR. SHAPIRO: Tommy, can you take 17 18 the lights down one more time for me, 19 please? 20 Q. (BY MR. SHAPIRO) Now, do you see any stacks sticking up real high from that type of 21 22 engine, Mr. Maynard? . A. No, because you got the long 23 section or whatever you want to call it that's built 24 higher. That's part of the design of the engine, 25

Truesdel & Rusk

	152
i	Paul Maynard - Cross
	it's it exceeds the level of the cab locomotive,
2	cab of the locomotive.
3	Q. So you don't know anything about
4	what was learned by claims representatives with the
5	railroad back in the '50s, do you?
6	A. Nothing.
7	Q. Did you ever know while you were a
8	trainmaster about a regulation relating to fumes not
9	getting inside crew cabs?
.0	A. That would have been more for our
1	mechanical, mechanical guys. No, all I knew is that
2	they were governed by the FRA and had to meet the
3	FRA standards and they regularly checked them.
4	Q. Thank you, Mr. Maynard. Those are
5	all my questions.
6	MR. BAKER: I have no questions.
7	THE COURT: Lunchtime. Let's try
8	to get back by 1:15, and we'll go to lunch.
9	(Off the record at 12:04 p.m.)
0	(On the record at 1:16 p.m.)
i	
	MR. BAKER: I would rather be shot
2	in the foot by making this motion, but I
3	must make move for mistrial because
4	plaintiff's counsel, against what he told us
5	and what he represented to the Court about

the cesium, threw up on the screen unbeknownst to me something that said Oak Ridge with Mr. Maynard, of all people Mr. Maynard, "Oak Ridge Y-12 spur cleanup, tracks closed down, cesium radiation contamination, tracks, ballast rock cleaned, remediated by DOE."

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

That was supposed to be out. They introduced no evidence whatsoever about cesium. Now we have to address the blooming thing, so I must move for mistrial because of what they did on that.

MR. SHAPIRO: Your Honor, there was never any motion in limine that said that we were never going to offer something about cesium or the Y-12 tracks. And in fact, Mr. Badders through CIH, who they advised me they are calling him to testify, was notified Friday afternoon, answers inquiries about cesium being cleaned up from Y-12. And they told me they were calling him on Friday. It's in his deposition that was taken in this case, and I don't understand what they are -- you know, this was cross examination, and they have an industrial

Truesdel & Rusk

	· · · ·
1	hygienist who has confirmed those facts
2	already, and I can read the portions of his
3	deposition to Your Honor
4	THE COURT: The Court is under the
5	impression we agreed we weren't going to
6	talk about that for whatever reason.
7	MS. YOUNG: It's on the record.
8	MS. THOMPSON: I filed a motion and
9	they never called Badders in their case in
10	chief.
11	THE COURT: In any event, I would
12	like to make this comment. The Court is
13	struck by the fact that apparently both
14	sides in this case are not able to do
15	anything that's not already written down,
16	and that means ask questions and the
17	questions not written down in a notebook
18	they can't ask a question and if the
19	question is written down they are going to
20	ask it no matter what. This is not a good
21	way to present a case in my opinion. I
22	doubt the jury thinks it is. But I try to
23	make allowances because it seems that that's
24	the only way either side can do anything in
25	this case, so then we reach problems like we
1	

just had with this last witness, we ask him things that were in the notebook which probably shouldn't have been gone into, for example, like the picture of the smoking locomotive, if that's what it was, there's not even a claim by plaintiff he was ever exposed to that sort of thing. Anyway, but you all knew that after we started the case, that's what I was faced with, and I guess I have to sort of make allowances because that's the only way we are going to get through this case. The Court takes no action on your motion at this time. Let's go ahead, and who is your next witness going to be? MS. YOUNG: Mr. Billy Freeman. That's another thing, THE COURT: they represent they have never seen these things you flashed up on the screen. Anything that's shown has to be shown to the

other side before you do it.

MR. BAKER: I agree.

THE COURT: That goes both ways.

Truesdel & Rusk

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

Billy Freeman - Direct 1 A. I was. 2 Q. So when you got this phone call from Mr. Maynard, what did you do? 3 I contacted Eddie Nanney because he 4 A. 5 was my supervisor and I didn't know the answer to 6 the question. But I also knew that from my minimal 7 file review that Eddie Nanney who was my supervisor 8 had experience there. He was there prior to my 9 showing up, so I knew he was someone that might know 10 more about it than I would. 11 He had been pretty active at the Q. 12 Witherspoon Scrapyard? 13 He had been involved with it, yes. Α. 14 Okay. And what did you and Q. 15 Mr. Nanney decide to advise the railroad regarding 16 their concern about whether it was safe for railroad 17 switch crews to go inside Witherspoon Scrapyard? 18 A. Eddie told me to tell them that as long as they stayed out of the vicinity of the 19 20 contaminated barrels that they would be okay, so 21 that's what I related and I documented it. All right. What I've shown up 22 Ο. here, Defendant's Exhibit 380, what is that? Is 23 that one of your file memos? 24 25 Α. It looks like the top may have been

Truesdel & Rusk

	Billy Freeman - Direct
1	cut off. I tried to put from BHF that's my
2	initials, so that I could track what I did.
з	Q. Let me show you this.
4	A. At the bottom it says BF. That's
5	me. And then colon, and then the next two letters
6	are the secretary that typed that.
7	Q. So is this a memo that you put in
8	your file documenting the contact from Mr. Maynard?
9	A. Yes, and my conversations.
10	MS. YOUNG: Your Honor, move to
11	admit Exhibit 380.
12	THE COURT: Hasn't that been done?
13	It's already been done.
14	MS. YOUNG: Okay. Thank you.
15	Q. (BY MS. YOUNG) Back to this subject
16	for just a moment, Mr. Freeman.
17	If you or your supervisor,
.18	Mr. Nanney, had believed that the railroad switch
19	crews in response to this inquiry, if you all had
20	believed that they were not safe, would not be safe
21	going in Witherspoon, what could you have done under
22	your authority?
23	A. I would have asked them not to go
24	in.
25	Q. All right. You could have told

Billy Freeman, - Direct

	Billy Freeman, - Direct
÷. į	them not to go in the Witherspoon Scrapyard, or ask
.2	or advise them not to go in, you could have done
3	that?
4	A. I could definitely have asked them,
5	yes. I ask people to do things all the time.
6	Q. Sure. But that's not what you did
7	because you felt it was safe?
8	A. Yes, I mean this was an active site
ė	and there were workers there that we were not asking
10	to leave as well.
11	Q. There were workers of Witherspoon
12	himself, had some workers there?
13	A. Yes, Witherspoon. It was an active
14	scrap metal business.
15	Q. Is Ms. Nanney still with the
16	Tennessee Department of Radiological Health?
17:	A. He retired this summer and is hired
18	back on as a part-time employee, yes.
Ì9	Q. Prior to retiring, what was his
20	position with the state?
21	A. He was the director.
22	Q. He was the director for the state
23	Division of Radiological Health.
24	A. Yes.
25	Q. Okay. Did there come a time maybe

Truesdel & Rusk

App. 168

	Billy Freeman - Direct
1	investigation in September of 1985 with Mr. Badders
2	when you went out and he went and looked at the site
3	and this is what the site looked like, did you
4	advise the railroad to get the railroad switch crews
5	out of the scrapyard?
6	A. No.
7	Q. And why not?
8	A. And as I've said earlier, I didn't
9	think there was a risk in bringing in empty car rail
10 ,	cars and railcars filled at the electro magnet shed
11	which would be at the bottom of that long and narrow
12	spur. I didn't think it would be a risk to coming
13	in and filling them up and removing them.
14	Q. You can keep that up.
15	That longer square, does that
16	appear to represent a magnet house?
. 17	A. Yes, scrap metal pile, that's the
18	end of the spur where the magnet house is.
19	Q. All right. Mr. Freeman, can you
20	explain to this jury why you decide why you
21	decide even though there's some readings, you a ran
22	your survey meter, Geiger counter type meter and you
23	came up with those readings that were on that
24	diagram, can you tell the jury are there three
25	tenets that you use, three principles that you use

Billy Freeman - Direct

	Diffy Ficenan Diffeet
,1	to determine whether those readings means it's
2	unsafe for somebody to be out there?
3	A. Yes, I think I understand the
4	question.
5	Q. Time, distance and shielding?
6	A. Okay.
7	Q. Explain that to the jury.
8	A. When you are working with radiation
9	or radioactive materials or x-ray, any type of
10	radiation, there's three principles that we would
11	apply and they are called time, distance and shield.
12	You want to reduce the time you are
13	near the radiation source, you want to put shielding
14	in between the radiation source and you want to put
15	distance between you and the radiation source. Kind
16	of common sense proposals, but those are the three
17	tenets of health physics they are protecting.
18	So applying those here, I just
19 .	didn't view that, considering the dose rates that
20	you had shown up there and considering the time they
21	would be in there and the distance that they were
22	away from the sources, that it would provide a risk.
23	Q. Okay. Well, let me ask you in a
24	little bit more detail about the time principle.
25	What did you understand from your

• :	Billy Freeman - Direct
1	observations of the switch crew doing their job out
2	there at the Witherspoon Scrapyard, what did you
3	observe about the time that a switch crew may have
.4	been in the scrapyard?
5	A. I didn't time the switch crews when
6	they were in the scrapyard. I had already decided
7	that their time there was not significant as far as
8	radiation risk, so I didn't I didn't do any time
9	studies.
10	Q. Less than an hour?
`11	MR. SHAPIRO: Objection, leading.
12	Q. (BY MS. YOUNG) Withdrawn.
13	Can you approximate the amount of
14	time?
15	A. They weren't there very long. They
16	rolled in, the car was filled up and right out.
17	Somewhere between 30 minutes and two hours. I don't
18	really know. It seemed like a brief period of time.
19	I'm not really prepared to talk about the minutes on
20	it.
21	Q. Let me talk to you about distance
22	there.
23	We saw a diagram a minute ago and
24	it had the railroad track out in the middle and some
25	barrels on each side. The distance from those

	Billy Freeman - Direct
1	barrels to the tracks, that was sufficient distance
2	to lead you to believe that the distance would not
3	put the railroad crew at risk?
. 4	MR. SHAPIRO: Objection, leading,
5	Your Honor.
6	Q. (BY MS. YOUNG) Tell me about
,7	distance and the barrels and what it meant to your
8	recommendation that the railroad switch crews were
9	not in danger.
10	A. Dose rates on the rail line were
11	far enough, enough distance from the sources of
12	radiation to create low enough dose rates that they
13	were insignificant in my opinion.
14	Q. Let's move on to shielding.
15	We're talking a lot about barrels.
16	Do the barrel what might provide shielding as to
17	the barrels?
18	A. Well, in this case because it's
19	uranium and there's a significant beta alpha beta
20	component, they were in metal drums and the metal
21	drums themselves provide shielding. They provide a
22	hundred percent shielding for alpha and, no-risk
23	shielding for beta, and much less shielding for
24	gamma. So there was whatever got out of the
25	barrels was gamma radiation.

•••	Billy Freeman - Direct
i	something to compare it to. It varies depending
. 2	what you are around. You know, a lot of natural
3	things have different backgrounds, so background in
• 4	your office and your office will be different.
• 5	Q. All right.
6	A. So there I would take backgrounds
7	say out on the Candora spur or something nearby so
8	that I would have something that is comparable.
9	Q. Is this what's been marked as
.10	Defendant's Exhibit 310, is this another one of your
11	memos to the file?
12	A. Yes.
13	Q. Move to admit Defendant's Exhibit
14	310.
15	(Exhibit 310 received).
16	MR. SHAPIRO: No objection.
17	Q. (BY MS. YOUNG) Let me move quickly
18	and I want to talk to you about enforcement, what
19	duties you have regarding enforcement.
20	Could your authority as in your
21	position with Tennessee Department of Radiological
22	Health, could you and your colleagues actually cite
23	a person, a company or an entity, cite them with a
24	violation of a radiation regulation?
25	A. That is what I do.

*	Billy Freeman - Direct
1	Q. Okay. Could you does your
2	authority, does it or does it not include the
3	authority to tell a person, a company, or an entity
4	to use film badges or to use respirators or use
5	protective clothing?
6	A. As needed we do that, yes.
7	Q. And does it matter to you who owns
8	the property where something may be going on as to
9	whether you can tell somebody to wear a respirator
10	or recommend they have a film badge or wear
11	protective clothing?
12	A. I've never encountered a situation
13	where title or ownership was relevant to my
14	regulatory authority. No, I don't pursue who owns
15	the property or who owns the business; I pursue the
16	management over the facility.
í7	Q. Let me ask you this.
18	In your time with Tennessee
19	Radiological Health and your involvement with the
20	Witherspoon Scrapyard, did you ever cite the
21	railroad, tell the railroad that it was in violation
22	of any radiological shipping regulation?
23	MR. SHAPIRO: Objection, Your
24	Honor.
25	THE COURT: Go ahead.

	1582
	Billy Freeman - Direct
1	A. No.
2	Q. (BY MS. YOUNG) Did you with your
3	authority, did you ever cite the railroad for any
4	violation of a radiation regulation that might not
5	pertain to shipping but just another radiation
6	regulation?
7	MR. SHAPIRO: Same objection.
8	THE COURT: Go ahead.
9	A. I haven't cited the railroad with
io	regard to this facility at all.
11	Q. Okay. Have you ever advised the
12	railroad or recommended or ordered the railroad to
13	put film badges or respirators or protective
14	clothing on its switch crews that go into
15	Witherspoon Scrapyard?
16	A. No.
17	Q. From your review of the former file
18	of the Tennessee Department of Radiological Health
19	prior to your coming on board, did any of your
20	colleagues or predecessor colleagues ever cite the
21	railroad for any radiation regulation or tell the
22	railroad that they had to put certain equipment on
23	their switch crews?
24	A. No.
25	Q. Is that something, Mr. Freeman, is

	Billy Freeman - Direct
1	that or is that not something you would have done if
2	you felt those railroad switch crews were in danger,
3	you would have told them to either get off the
4	property or wear protective equipment, would you
5	have done that?
6	A. Yes.
7	Q. All right. Let me move on to a
8	concept that I want to touch on just briefly,
9	because the jury has heard a little bit about this.
10	Does the dose-response phenomenon
11	apply to radiation exposure?
12	A. I'm not sure I understand the
13	question.
14	Q. In radiation exposure, the more
15	exposure you get the greater the risk of harm?
16	A. That's correct.
17	Q. And the converse or reverse is true
18	also, that the lower the exposure the lower the risk
19	of harm?
20	A. That's correct, down to a certain
21	point.
22	Q. Okay. Let me ask you if I'm
23	going to let me show you what's been marked as
24	Defendant's Demonstrative Exhibit No. 117, and I'm
25	going to hand you a copy so it's easier for you to

Larry Liukonen - Direct

	Barry Brakonen Breet
1	asbestos released brake shoes?
2	A. Yes, I have.
3	Q. Use do you know what this is?
4	A. That's a railroad brake shoe.
5	Q. Is that a new one or an old one?
6	A. That's a used one. Looks like it
7	came off of a rail car.
8	Q. Have you tested for asbestos
9	release from this kind of equipment?
10	A. Yes, I have.
11	Q. Can you tell the jury about that
12	testing?
13	A. Sure. I've done it on a couple
14	different occasions. When I first started working
15	for the railroad, I was wondering what would happen
16	if you were in a if any asbestos would be
17	released during braking from a shoe like this, so we
18	found the heaviest train we could, which was a
19	train, it was a 40-car train of rock, we found it
20	coming down the longest grade we could no
21	asbestos.
22	Which was coming out of the Rocky
23	Mountains. And so we so we tested in the caboose
24	during the entire application of brakes coming out
25	of the Rocky Mountains with all the composition

Truesdel & Rusk

1753

App. 177

	1/34
	Larry Liukonen - Direct
1	all asbestos containing brake shoe train.
2	Q. We've talked about asbestos being
3	in railroad brake shoes.
4	Has asbestos always been in
5	railroad brake shoes?
6	A. No, it has not.
7	Q. When was it in railroad brake
8	shoes?
9	A. It was it was first allowed in
10	1964. The American Association of Railroads has
11	very strict rules so that cars and locomotives and
12	trains can be interchanged from one to another, so
13	you can't use something unless you get the approval
14	of the overall organization.
15	These brakes they want to make
16	sure they are safe and things, too.
17	These brake shoes were first
18	approved in 1964. They were never universally used,
19	probably never got to be in over maybe a third of
20	the fleet and by 1978, they were being phased out
21	and by the early '80s, they were entirely gone.
22	Q. Does the railroad use asbestos
23	brake shoes anymore?
24	A. No.
25	Q. Do automobiles use asbestos brake

,	Larry Liukonen - Direct
1	shoes?
2	A. They can. There's nothing wrong
. 3	with automobile brake shoes. There's certain
4	manufacturers that were putting asbestos-containing
5	brake shoes on cars very recently.
6	Q. So is it possible to go to an auto
7	parts store today and buy a brake shoe for your car
8	or truck that has asbestos in it?
9	A. It's legal. How available they
10	are, I don't know. I haven't tried.
11	Q. Okay. When you did your testing of
12	this rock train, what kind of results did you come
13	up with?
14	A. We measured some very low levels of
15	fibers, which in those days we didn't have the
16	technology to determine whether or not the fibers
17	were actually asbestos. We weren't concerned even
18	with the levels they were even if they were all
19	asbestos, which most likely they were not.
20	Q. Up on the screen we have tell us
21	what we have.
22	A. You're looking at the wheel. It
23	looks like it's a car, and that's the brake shoe
24	right there.
25	Q. Is that what this thing is over

Larry Liukonen - Direct 1 there? 2 That's correct. Α. And how does it stop the train? 3 Q. The brakes operate on compressed Α. 4 5 air. 6 The compressed air is used to keep 7 the brakes off of the wheel. 8 When the engineer releases some . 9 air, then the brakes apply to the wheel and stop the 10 train. 11 0. Have you seen that happen? 12 Oh, yes. A. 13 And when that happens do you see Q. 14 any smoke or anything? Sometimes you do, sometimes you 15 Α. will see -- there will be oil or grease or 16 17 something. In fact, there's often grease on rails on curves to reduce friction, and often there's 18 19 grease, so, yes, you will get some smoke sometimes. 20 If -- let's say that shoe that's up 0. 21 there, not here, has some asbestos in it. 22 When the brakes are applied to that 23 asbestos-containing shoe, does it give off asbestos 24 fiber? 25 No, it doesn't. A.

Truesdel & Rusk

Larry Liukonen - Direct

	harry hidkonen - briect
1	Q. How do you know that?
2	A. That's been studied by a number of
3	different people, and what happens is you get very
4	high temperatures right at the surface of the brake
5	shoe, and any asbestos that's that's present is
6	changed into a different form, a different material
7	because of the high temperature. The high
· 8	temperature breaks it down so it's given off as
9	something else.
10	Q. I've asked you to look at
11	Mr. Payne's work history at the railroad.
12	A. Yes.
13	Q. And I've asked you to look
14	particularly at his asbestos and diesel claims.
15	Have you done that?
16	A. I have.
17	Q. And you know that Mr. Payne was a
18	trainman/switchman at the railroad.
19	A. That's correct.
20	Q. Over the over your career in
21	working in the railroad industry, have you found out
22	what switchmen do at the railroad?
23	A. Yes.
24	Q. And in your as a result of your
25	history with the railroad, do switchmen have much of

1757

	Larry Liukonen - Direct
i	an opportunity to come in contact with asbestos?
2	A. No, they don't.
3	Q. Why not?
4	A. The railroad is is heavily
5	unionized and you don't do what a job that's done
6	by another craft. So trainmen, switchmen switch
7	trains, make up trains, move trains; they don't do
8	work on locomotives, they don't do work on cars,
.9	they don't change brake shoes.
10	A brake shoe would be changed on
11	cars, changed by a carman. A brake shoe on a
12	locomotive would be changed by a machinist. Only in
13	an emergency situation if you're out in the middle
14	of nowhere and something happens they could do
15	something with the brake shoe. Under normal
16 [.]	circumstances they would never do anything like
17	that.
18	Q. You said from your experience with
19	the railroad that you generally know what switchmen
20	do.
21	Specifically to Mr. Payne, what
22	have you reviewed in this case that can tell you
23	about what he did and what his allegations are?
24	A. Oh, I reviewed his deposition, both
25	depositions. I reviewed expert reports. I'm

	Larry Liukonen - Direct
1	familiar with the areas where he worked and my
2	general experience in railroading.
3	Q. What is your understanding of what
4	Mr. Payne claimed were his sources of exposure to
5	asbestos?
6	A. My understanding is for asbestos
7	it's brake shoes, it's being in buildings, where
8	there might be some asbestos-containing materials in
9	the buildings, being in locomotives and cabooses
10	where there might be some asbestos-containing
11	materials.
12	Q. All right. Let's talk about each
13	of those briefly. You told us a little bit about
14	your experience with brake shoes.
15	What does Mr. Payne claim was the
16	source of his asbestos exposure from those brake
17	shoes? Did he ever change them? Did he ever handle
18	them?
19	A. He would not have ever changed them
20	or handled them. Even if he had, that's also been
21	studied by me and by others and there's no exposure
22	from changing a brake shoe either. It's a very
23	simple operation as opposed to doing it on your car
24	or your truck.
25	Q. Would Mr. Payne have ever had any

Larry Liukonen - Direct

1	asbestos exposure from brake shoes that would have	
2	been potentially harmful to him?	
3	A. No.	
4	Q. You told us about PEL's.	
5	A. Yes.	
6	Q. Permissible exposure limits. Would	
7	he have ever had any exposures to asbestos from	
8	brake shoes that would have been above the PEL's?	
9	A. Not even close.	
10	Q. And if he didn't have any exposure	
11	above the PEL's, what does that tell you?	
12	A. That tells me that that's a	
13	reasonably safe place to work.	
14	Q. You discussed being in buildings	
15	and being around some you mentioned being around	
16	some pipes.	
17	A. Yes.	
18	Q. What is your understanding of his	
19	claim about being around some pipes in buildings?	
20	A. Well, again, as a trainman he would	
21	not have had the opportunity or even been allowed to	
22	work on any pipes, so it's simply a matter of being	
23	in a building where there are pipes, and there	
24	simply isn't any exposure from doing that.	
25	I've studied that, studied aboard	

Larry Liukonen - Direct

	Buily Blukonen Blicee		
1	Navy ships, studied it collected hundreds of		
2	samples in CSX buildings where there was		
3	asbestos-containing material. Didn't measure any		
4	airborne fibers whatsoever. Environmental		
5	Protection Agency studied it extensively and said		
6	the same thing, there's no more asbestos in the air		
7	in buildings that have asbestos-containing materials		
8	than in buildings that don't have		
9	asbestos-containing materials. So there's no		
10,	exposure simply from being around it.		
11	Q. Would he have had any exposures		
12	that exceeded PEL's just by being in the vicinity of		
13	those pipes?		
14	A. No, not even close.		
15	Q. Cabooses, do you remember cabooses?		
16	A. I do.		
17	Q. What is your understanding of what		
18	Mr. Payne claims about exposure from cabooses?		
19	A. He claims and he's correct that		
20	there was often a heat shield behind the stove on a		
21	caboose to keep the caboose from catching on fire.		
22	Typically it was a very hard, non-friable material		
23	containing cement, and then further it was covered		
24	by a piece of sheet metal.		
25	Again, he would have had no work		

Truesdel & Rusk

1761

App. 185

Larry Liukonen - Direct no opportunity to do any work around that material 1 or with that material simply being in the caboose. 2 3 Q. Did that heat shield have a percentage of asbestos fiber in it? 4 5 A. Yes. Why was it even there? 6 Q. 7 A. To keep the caboose from burning 8 up. Would being around that heat shield 9 Q. 10. when he rode in the cabooses, would that have given 11 him any asbestos exposure? None whatsoever. 12 A. Mr. Liukonen, what is that? 13 Q. That's a cab heater in a 14 Α. locomotive. 15 That particular heater is on the 16 17 engineer's side. Are you familiar with those cab 18 0. heaters? 19 20 Α. I am. 21 Q. Have you seen one or two of them before? 22 23 Α. Many. There's been some discussion about 24 Q. the cab heater, and I think there's an allegation 25

Truesdel & Rusk

1762

App. 186

Larry Liukonen - Direct that maybe that cab heater is the source of asbestos 1 exposure to Mr. Payne. 2 3 Have you investigated that particular allegation before? 4 5 Α. Yes, I have. 6 Q. Tell the jury what you've done 7 about that. Well, we have done bulk sampling on 8 Α. 9 many locomotives to determine where the asbestos is and where it isn't. We do not find it in the 10 locomotive cab. When it was on locomotives, it was 11 12 outside the locomotive cab. And we have also taken many air samples in locomotives that still have the 13 14 asbestos-containing material on them both in the cab and in the air compressor compartment where most of 15 the asbestos-containing materials is and we 16 17 collected those samples when the trains are in 18 operation and, again, we found no airborne asbestos during operation. 19 20 Mr. Liukonen, there's been a claim 0. that there's a pipe down at the bottom of that 21 22 heater. 23 Α. Yes. 24 And the pipe comes up through the 0. 25 floor?

Truesdel & Rusk

A.	Yes.
	100.
Q.	And that there has been some
insulation on th	hat pipe that goes from the floor to
the bottom of th	ne heater?
А.	Yes.
Q.	Do you understand that?
Α.	i do.
Q.	Have you heard that before?
А.	I have.
Q.	Have you ever looked to see if you
could ever see t	that pipe on a locomotive and that
pipe being insul	ated; have you ever looked for that?
А.	Many times.
Q.	How long have you looked for that?
А.	Probably since '79. I have been
looking extensiv	rely for that for probably 20 years.
Q.	Have you ever seen it?
А.	No. Well, I've seen one pipe
possibly where t	hat could have occurred.
Q.	One locomotive?
А.	One locomotive. You can see that
most heaters sit	right on the floor. There is no
pipe there is	no pipe underneath the heater.
Q.	There's also been some discussion
	the bottom of the A. A. Q. A. Q. A. Q. Could ever see the pipe being insulf A. Q. A. Looking extensive Q. A. possibly where the A. Docking extensive Q. A. possibly where the A.
Larry Liukonen - Direct

1	around in the locomotive cab. Does that make any
2	sense to you?
3	A. No, it does not.
4	Q. Why is that?
5	A. Because the asbestos-containing
6	material is primarily underneath the floor. Where
7	the pipe comes this is a hot water heater, and
8	where the warm water comes from is the engine
9	compartment, so it comes from the engine
10	compartment, runs underneath the locomotive where
11	it's outside and needs to be insulated, comes up
12	inside. There's a double floor in the locomotive.
13	It comes up inside that double floor and again it's
14	insulated, and then it comes into the heater where
15	there's no reason for it to be insulated.
16	Q. Would the operation of that heater
17	create any asbestos exposure in people riding in
18	locomotives?
19	A. No.
20	Q. Would just being around that have
21	given Mr. Payne any asbestos exposure?
22	A. No.
23	Q. I think I jumped the gun. I'm
24	going to ask you about that in just a minute.
25	But to wrap up on asbestos,

Larry Liukonen - Direct 1 Mr. Liukonen, have you told us about all of the 2 exposures to asbestos that Mr. Payne claims he had-3 or --I think we left one out. 4 A. What did we leave out? 5 0. I think we left out the potential 6 A. of insulated pipes in gondola cars on trains he was 7 8 working on. 9 How do you assess that? Q. 10 I'm of the same opinion of that as A. any other material that he would have been around. 11 12 He wouldn't have been doing any 13 work with it, so that there would be no exposure, 14 simply being around something doesn't create 15 exposure. 16 If there's a pipe that has some 0. 17 insulation on it, just by looking at it, can you 18 tell if it's asbestos or not? 19 No, you cannot. Α. 20 If there's a pipe sitting right Q. 21 here where my glasses are that has got asbestos wrapped on it, is that a danger to everybody in the 22 23 room just sitting here? 24 Α. Not at all. 25 Now, thinking about all the 0.

Larry Liukonen - Direct

	Larry Hinkohen - Direct
1	allegations of asbestos exposure that Mr. Payne and
2	his counsel have made, thinking about the testing
3	that you've done and thinking about what you have
4	just testified to, Mr. Liukonen, do you have a
5	scientific opinion about whether any of that gave
6	Mr. Payne a significant asbestos exposure at the
7	railroad?
8	A. I do.
9	Q. What is your opinion, sir?
10	A. My opinion is none of that gave him
11	any significant exposure to asbestos. I doubt if he
12	had any days where he ever had a measurable exposure
13	to asbestos.
14	Q. Is that opinion something that you
15	hold to a reasonable degree of scientific certainty
16	and something that is based on your years of testing
17	for asbestos?
18	A. Yes, it is.
19	Q. Let's go to issue No. 2,
20	Mr. Liukonen, and then we'll be done.
21	Let's talk about diesel exhaust.
22	Are you familiar with diesel exhaust?
23	A. Very much so.
24	Q. You understand Mr. Payne claims
25	that he had some exposure to diesel exhaust in the

1767

	Larry Liukonen - Direct
1	railroad industry.
2	A. Yes, I do.
3	Q. Have you ever looked at the issue
4	of diesel exhaust exposures to people like
5	Mr. Payne?
6	A. Very extensively.
7	Q. What have you done?
8	A. Well, I've done a number of things.
9	When I first started in the industry, when I worked
10	for Burlington Northern, we had the two longest
11	railroad tunnels in the country, one of which was
12	seven miles long and the other one was eight miles
13	long. So I started studying the issue of diesel
14	exhaust exposure to train crews early on.
15	And then when we were working for
16	CSX, we did a lot of work in shops because in many
17	locations the diesel locomotives were running inside
18	of the shops so we had opportunity for exposure
19	there.
20	And then in the early '90s, Mark
21	Badders said, "Larry, I really would really like you
22	to take a look at train crew diesel exhaust
23	exposures and start doing some really good studies
24	on that." So we started doing very extensive work
25	on train crew exposures to diesel exhaust.

App. 192

Larry Liukonen - Direct

	Barry Brakonen - Briece
1	Q. Was that for CSX
2	A. Yes, it was. I also did it for
3	other railroads, but a lot of it has been for CSX.
4	Q. For how many years have you been
5	doing diesel exhaust studies for CSX?
6	A. Oh, I would say well, we started
7	in '87, so it's been at least 20 years.
8	Q. Do you know how many different
9	tests of the air on diesel locomotives you have done
10	for CSX?
11	A. Well, I've counted it up many years
12	ago and we were well over a thousand samples then.
13	Q. Now, there's been some discussion
14	in this case about smelling diesel fumes or diesel
15	exhaust. Have you ever smelled it?
16	A. Absolutely.
17	Q. And in any of the testing that
18	you've done well, number one, have the trains
19	been operating?
20	A. Yes, we test on operating trains.
21	Q. And have you ever smelled the
22	diesel exhaust while you were doing the testing?
23	A. Many times.
24	Q. And despite smelling it, what do
25	the results tell you?

1769

	Latry Branche Bridee
1	A. The results tell us that train crew
2	exposures to diesel exhaust are well within accepted
3	levels.
4	Q. Even if you can smell it?
5	A. Even if you can smell it.
6	We've specifically set up
7	situations where we would have a bocomotive idling
8	and then test downwind in another locomotive. We've
9	done it in tunnels. We've even stalled or stopped
10	locomotives in tunnels to simulate situations like
11	that to try and find out what would happen if we had
12	an emergency situation.
13	Q. We have an article up on the
14	screen.
15	Can you tell us what that is,
16	Mr. Liukonen?
17	A. Yes, that's an article that a
18	couple of us published on train crew exposure to
19	diesel exhaust. Historically there's lot of
20	different things we've looked at. In the recent
21	past, there's a particular thing that we looked for
22	in the diesel exhaust which is part of the
23	particulate called "elemental carbon," and we
24	published some of our results on that to the
25	scientific community to show what train crew

1770

Larry Liukonen - Direct

1 exposures to diesel exhaust are. 2 Q. Elemental carbon? 3 Α. Yes. 4 Q. What is that? It's an indicator of diesel 5 Α. exhaust. It's something that's given off by 6 combustion of diesel fuel and has very few 7 interferences. It's not given off by cigarette 8 9 smoke, it's not given off by gasoline engines, we 10 don't find much of it in the natural environment, so 11 it's a very good indicator of diesel exhaust 12 exposure. 13 Can you tell the jury what this Q. 14 article is about and what you did and what your 15 results were? Sure. We -- a lot of it is based 16 A. 17 on the work I did for CSX and a lot of it is based 18 on work I did for a couple of other railroads. And 19 our focus in all of these was to try and find -- to 20 look for the worst case scenario. 21 When we first start out doing 22 something, we don't -- obviously don't look for the 23 cleanest thing. We want to look for where the 24 highest exposures are. So that was something Mark 25 Badders asked us to do when we first started out.

	Latty Hukohen - Direct
1	He said I want you to look for older engines, I want
2	you to look for tunnel territory, I want you to look
3	for mountainous terrain, and I want you to do a lot
4	of sampling in the trailing engine, in the second
5	unit in case anybody is ever riding on the second
6	unit.
7	So that's what we would do. We
8	would go somewhere, tell the trainmaster, whoever is
9	in charge, we want to do some diesel tests, sampling
10	what kind of trains you have coming out. And then
11	we would pick the one that would have the oldest
12	units on and we would look for heavy trains. And
13	again, we were looking in the territory where we
14	find the highest exposure, that is tunnels and
15	mountains.
16	So then we would now I've
17	forgotten what the original question was, I'm sorry.
18	Q. Just tell us about the article. I
19	think you have pretty well done that.
20	A. Right. So then when we finished,
21	we took the elemental carbon, which is pretty well
22	accepted that that is the best indicator of diesel
23	exhaust. We took all of our elemental carbon
24	results, put them together into a published paper,
25	which is this is the final result.

	Larry Liukonen - Direct
i	Q. And generally, can you describe
2	what your results were?
3	A. Yes. We find that typically a
4	train crew member like an engineer or something like
5	that, their typical exposure to elemental carbon is
6	two and a half micrograms per cubic meter.
7	If we take a trailing unit, the
8	average exposure is about 10 micrograms per cubic
9	meter.
10	Again, we would do the worst case
1i	scenario with open windows. I remember doing this
12	testing in the wintertime with open windows because,
13	again, we are looking for highest exposure.
14	Q. Sorry.
15	We've heard about the Federal
16	Railroad Administration. Do you know who they are?
17	A. Yes.
18	Q. Have they studied the diesel issue?
19	A. Yes, they have.
20	Q. And what is your judgment about the
21	position of the FRA?
22	A. They started off, as I did early
23	on, looking at things like this long railroad
24	tunnel, and they determined that there was not
25	excessive exposure to train crews, even in this

	Larry Liukonen - Direct
1	eight-mile long tunnel.
2	They have continued to study. Most
3	of their studies they are an enforcement agency,
4	so most of their studies have been a result of
5	employee complaints and things like that, but they
6	have continued to study and continue to find that
7	they don't find excessive levels of exposure.
8	Q. Even if you can smell it?
9	A. Even if you can smell it.
10	Q. Okay. We are about to wrap up
11	here, but let me ask you to talk about standards
12	again for a second. And we talked about the
13	standard for asbestos in the air being the PEL,
14	permissible exposure limit. Right?
15	A. Yes.
16	Q. That's something that industrial
17	hygienists use to assess whether a workplace is safe
18	or not. Right?
19	A. Right.
20	Q. Now, is there a permissible
21	exposure limit for diesel exhaust?
22	A. There is not. Diesel exhaust is a
23	complex mixture. What OSHA would do, for example,
24.	is they would look for some of the gases, nitrous
25	dioxide, carbon monoxide, although you don't find

Larry Liukonen - Direct

	harry hinkonen briett
i	much, but those are the sorts of things that they
2	would do. But there is not a specific standard for
3	diesel exhaust itself.
4	Q. Well, how do you know if the
5	measurements that you get indicate that it's safe or
6	dangerous?
7	A. A couple of ways.
8	It's been pretty well accepted in
9	the past several years that elemental carbon is our
10	best indicator of diesel exhaust exposure.
11	There's another organization called
12	the American Conference of Governmental Industrial
13	Hygienists, and they published something called the
14	threshold limit value, or TLV. It's similar to what
15	OSHA does, but it doesn't have the it's a
16	recommendation and doesn't have the force of law
17	behind it.
18	And they several years ago, they
19	published a recommended TLV for diesel exhaust of 20
20	micrograms per cubic meter as elemental carbon.
21	They have since rescinded that, but that was the
22	recommendation that was there and so that's what we
23	used to compare our exposures to.
24	And we can see that our exposures
25	typically are about one-fourth of what their

Truesdel & Rusk

	Larry Liukonen - Direct
1	recommended standard is.
2	We can also look at people, what
3	people find in other industries or what people find
4	in normal areas.
5	We find that the exposure we
6	find in train crews is less than living in an urban
7	area like Los Angeles. They have a higher exposure
8	24 hours a day than our people do eight or 12 hours
9	a day.
io	We can look at people in
11	underground mines where the exposures are about 400
12	compared to our two and a half. So you can see that
13	our exposures are much, much less than other
14	industries. So you can do lots of different things
15	to see that our exposures are pretty much in an
16	acceptable range.
17	Q. Even if you can smell it?
18	A. Even if you can smell it.
19	Q. Okay. You said there was a
20	proposed standard that did not go into effect?
21	A. That's correct.
22	Q. And that help me here. I'm not
23	sure of the terminology. It was 20 micrograms?
24	A. Micrograms per cubic meter of
25	elemental carbon.

Larry Liukonen - Direct

Larry Liukonen - Direct
Q. So that was the standard that you
used?
A. That's correct.
Q. Even though it's not in effect,
that's the standard that you used?
A. That's correct.
Q. Now, what do you understand to have
been Mr. Payne's claims about being exposed to
diesel exhaust?
A. It would have been, you know, as a
switchman, a trainman, he would be in the yard, he
would be around the locomotives, he would be
occasionally riding on locomotives, sometimes riding
on cars either in front of or behind the
locomotives.
Q. And was he involved in what are
called "shove moves"?
A. He was.
Q. And does that have an impact on
diesel exhaust exposure?
A. Sure. It really eliminates it
because what he's talking about is you have several
cars and the locomotive is at one end and he's
riding the other end doing what we call "protecting
the crossing, " so he's several cars in front of the

Larry Liukonen - Direct

	Larry Liukonen - Direct
1	exhaust.
2	Q. Mr. Liukonen, based on all the
3	studies you've done, I think you said thousands of
4	diesel exhaust in locomotive cabs and understanding
5	what switchmen do and understanding what Mr. Payne
6	says he did, do you have an opinion about whether he
7	had any significant exposures to diesel exhaust
8	while he worked for CSX?
9	A. I do.
10	Q. What is your opinion, sir?
11	A. My opinion is he did not have any
12	excessive exposures, had very low levels of
13	exposure, similar to working in an urban area,
14	probably not much different than driving down the
15	interstate.
16	Q. Would he have gotten even remotely
17	close to that 20 whatever it is standard you told
18	us?
19	A. No, he would not have.
20	Q. Did Mr. Payne need a mask or
21	respirator while he was working for the railroad?
22	A. Not at all.
23	Q. Do you know of any railroad in
24	America that requires people like Mr. Payne to wear
25	a mask or a respirator while he's in a locomotive

Truesdel & Rusk

App. 202

**

	David Dooley, Fi.D. Direct
1	the jury what you have done, but would you think
2	that Mr. Payne, a railroad worker, a switchman
3	working for the railroad, based upon what you have
4	done and what you know about him, would have had as
5	much radiation exposure as a nuclear worker?
6	A. No, I do not.
7	Q. Why do you say that?
8	A. Well, I say that because when we
9	when we went through the basically a time motion
10	study of where Mr. Payne was when and how long he
11	was there and what he could have been potentially
12	exposed to. We gave Mr. Payne every benefit of the
13	doubt over those exposures and try as we might to
14	make those numbers as high as we could, they are
15	still just not high enough to say that Mr. Payne
16	over his 15-year working career where he was in and
17	out of Witherspoon and in other locations when he
18	was with the railroad, you know, could have ever
19	gotten doses that would have been would have been
20	something that even as a radiation protection
21	professional would have been on my radar.
22	Q. Okay. I want to as I mentioned
23	earlier, what I want to do in the next little while
24	is talk to you about your dose reconstruction, and I
25	want you to tell the jury what you did and why you
1.	

Truesdel & Rusk

App. 203

	1860
	David Dooley, Ph.D Direct
1	did it and what you came up with, but tell us first
ż	what a dose reconstruction is and whether you do
.3	them.
4	A. Okay.
5	MR. SHAPIRO: Your Honor, just for
.6	the record, I want to state that plaintiff
7	objects to the dose reconstruction testimony
8	for reasons known. Thank you.
9	THE COURT: Overrule the objection.
- 10	The witness may answer the questions. Go
11	ahead.
12	A. Okay. Do I do dose
13	reconstructions? The answer is yes.
14	What does it entail? Well, you
15	basically, like I said before, you want to look at
16	all the details of what an individual does and I've
17 .	got a pretty good example.
18	My first couple of weeks at the
19	nuclear power plant I had to go and do a time motion
20	study on a security guard whose dosimeter came up on
21	a routine batch at 52 rem. Well, a security guard
22	at 52 rem is all the bells go locked. The Nuclear
23	Regulatory Commission comes into your site, and he
24	sat in my lab for about two months while I got all
25	this data together. And it was basically because in

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

a power plant when you go through the doors to go
into the plant there's a key card key system, so
we knew where he was and when he was and where he
normally traveled, and we actually knew who had met
him in the air lock as he was going in and out of
the plant so we interviewed those people, we
interviewed him obviously, and we tried to figure
out how he could have possibly gotten 52 rem on his
badge given the fact that the average nuclear worker
in a year only gets 200 millirem.

So because those bells and whistles were going off, we did everything we could to figure out where he was, when he was there and how he could have gotten the dose. Well, it turns out he had lost his badge next to an area that was very radioactive and he left it there. Somebody found it, they gave it back to him and he put it to normal processing.

So he got a couple weeks off with no pay because he didn't tell us the truth of what was going on.

But in Mr. Payne's case, what we wanted to do was take a look at all the records for Witherspoon and all the shipments that came into and out of the site, the amount of time that he was

there walking around on the site, having a good understanding of what he was doing on the site at the time, and then understanding what the radiation environment that he might -- that it actually consisted of.

So we know there was soil samples 7 taken on the site, we know there were some drums that were left there from the early '60s that were 9 sent over to Witherspoon and Witherspoon could not do anything with them other than keep them on the site. And the state had come in and they had done some radiation readings on those drums so we took that into account. We took any kind of potential airborne exposure he might get from dust on the site being kicked up as the train was moving on site or even as he was walking on the road next to the train and, you know, how long he was there and how long he actually spent riding in the rail car from either the Y-12 site or from the Knox yard. We wanted to make sure we knew how long it was, where he was in the car, what was in the car, and then we basically put all those numbers together to come up with a number for his career of work with respect to Witherspoon.

25

Q.

1

2

3

4

5

6

8

.10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

(BY MR. JORDAN) Good.

Truesdel & Rusk

App. 206

David Dooley, Ph.D. - Direct Now, is this the first dose 1 2 reconstruction you have done? No, it is not, no. 3 . A. Do you -- are you involved in dose 4 Q. reconstruction programs for the Federal Government? 5 I am, yes. 6 Α. And do you have a position of 7 Q. 8 leadership in such dose reconstruction programs? I do. MJW was one of three 9 Α. "10 companies that had been working on dose reconstruction under the EEOICP program, Energy 11 12 Employees Occupational Illness Compensation Program. 13 And that's EEOICP, they couldn't come up with a better acronym than that. 14 Anyway, this was a --15 MR. SHAPIRO: Your Honor, I object 16 17 to this going on any further. THE COURT: Okay. Ask another 18 19 question. 20 MR. JORDAN: Yes, sir. 21 0. (BY MR. JORDAN) Just tell us what 22 your position of responsibility is. I am on the management team for 23 A. this project. 24 25 Okay. And are dose reconstructions Q.

Truesdel & Rusk

App. 207

David Dooley, Ph.D Direct
part of that project?
A. That's what it is, it is dose
reconstruction of DOE complex workers, former
workers.
Q. Okay. Let me ask you about a
couple of terms and then we'll go right to the meat
of your dose reconstruction.
The jury has heard about direct
versus indirect exposures.
Can you explain the difference for
us?
A. Sure.
Direct exposure basically if this
cup were radioactive and it were sitting in front of
me and it was emanating radiation, because I'm close
to it, it's direct exposure. So I'm being exposed
by whatever radioactive substance is in this cup.
If there were a radioactive
substance in the air and I was breathing that
radioactive substance and it got inside my body,
then it's an indirect dose because whatever I take
in it either goes into my lungs, into my stomach, it
circulates around in my blood and it can irradiate
all of your body, may irradiate just a particular

		David Dooley, Ph.D Direct
	٦.	People that have thyroid issues
-	2 -	will have iodine-131 injected into them, into your
	3	blood system so that it gets taken up by your
	4	thyroid, and then they can do a scan of your thyroid
	5	because it's preferentially taken up, and they can
	6	see if you are having an issue with your thyroid.
	7	And that is an example of indirect exposure,
. "	8	something inside you that may irradiate one or more
		of your organs.
	10.	Q. What is a time motion study?
	11	A. Well, as I was saying before, time
	12	motion, like the security guard at the power plant,
	13	we had to figure out where he was, what he was as
	14	he traveled through the plant, how long he was there
	15	and what he may have been exposed to.
	16	So in the case of Mr. Payne, we
	17	wanted to make sure that we knew where he was, when
	18	he was and how long he was in each of the places as
	19	he did his job for the railroad.
	20	Q. Is that part of what you did?
	21	A. Yes, it is.
•	22	Q. Okay. All right. Two more things.
	23	I suspect that when you explain
	24	what you did to the jury, you are going to use the
	25	term "conservative."

• 5

Α.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Yes, I am.

Q. And I think from watching the news we hear that a lot, but I suspect in your world that might have different definition.

What do you mean by "conservative"? A. Well, conservative is probably just another term for favorable in the vernacular that we use when we do a dose reconstruction for somebody. And especially in the program that we work in with the government, what we try to do is give the -every benefit of the doubt to the claimant in terms of what dose we got.

And that's the same approach that I used here with a dose reconstruction for Mr. Payne, is that in every instance I tried to use the upper end of the numbers that I saw from the data that we had for either soil analysis or for airborne analysis or whatever it was in terms of the radiation exposure he could have, we used the upper end of all those numbers.

Q. Did you follow the same scientific methodology in assessing Mr. Payne's radiation dose as you would in this federal program that you're an advisor to?

A. Yes, I did.

	David Dooley, M.D Difect
1	Q. So you think you told the jury
2	about all of your calculations in the dose
3	reconstruction?
4	A. Yes, I have.
5	Q. Did I understand you earlier to say
6	what you do when you do all those various scenarios
7	is you add them up to get a total dose?
8	A. Yes.
9	Q. Have you done that?
io	A. I have and the total that we came
11	up with for the 15 years, giving every benefit of
12	the doubt from dose time motion point of view, it
13	came out to be about 1426 millirem over 15 years,
14	or, you know, roughly about, you know, 100 millirem
15	a year, and this is dose to the lung.
16	Q. Now, let's circle back, and tell us
17	again what his maximum allowable exposure would have
18	been.
19	A. Well, again, remembering that lung
20	dose is a little higher than a whole body dose, it's
21	about 13 percent higher, you know, his whole body
22	dose in this case would probably have been in the
23	range of about 92 millirem, if you call it whole
24	body dose. This is for a year. I'll just put WB
25	for whole body.

1	If you were just a person on the
2	street and you lived next to a nuclear power plant
3΄	or Y-12 or X-10 or any of the facilities over at Oak
4	Ridge, they were allowed with a person living on the
5	fence, and there's not too many people who live on
6	the fence, but this is how they calculate it, a
7	person, nonexposed member of the public, you could
8	actually receive about 500 millirem per year back in
9	that day.
10	In '94 it's been changed to a
11	hundred, but back up until '94 it was always 500.
12	Q. And you estimated his to be 92?
13	A. 92 from a whole body point of view,
14	yes.
15	Q. Have you attempted to demonstrate
16	the various numbers that you calculated and added up
17	in a bar graph?
18	A. I have, yes.
19	Q. Is this what you created for us?
20	A. Yes, this is one of them.
21	Q. I think it would be fine if you
22	could take the witness stand and let me ask a few
23	more questions while we talk about this graph.
24	A. Yes, sir.
25	Q. Dr. Dooley, tell us what that bar
1000	

Truesdel & Rusk

.

David K	Kocher,	Ph.D.	-	Direct
---------	---------	-------	---	--------

	David Rocher, Ph.D Direct
1	hundred or so leading professionals in all areas of
2	radiation health sciences. It's an organization
3	chartered by Congress and they are asked to write
4	reports for regulatory agencies and other government
5	officials in the radiation area and I have been an
6	elected member of the NCRP for about twelve years.
7	Q. All right. Can you tell me,
8	Dr. Kocher, have you published any articles or
9	studies in the fields of health physics?
10	A. I have quite a few publications.
11	One of the conditions of working at Oak Ridge
12	National Laboratory is that you are supposed to
13	publish. I have roughly 220 publications of which
14	around 65 are things like peer-reviewed journals,
15	National Academy of Sciences reports, things like
16	that.
17	Q. Dr. Kocher, in 1990, were you asked
18	to study potential exposures to public to the
19	public and to railroad workers from radiation
20	contamination along tracks in the town of Oak Ridge
21	leading up to Y-12?
22	A. Yes, I was.
23	Q. And who asked you to do this study?
24	A. This request came from a program at
25	Oak Ridge National Lab called the Environmental
Contraction of the local distance of the loc	

	David Kocher, Ph.D Direct
1	Restoration Program. They had a big program back in
2	the '80s to kind of survey the whole site, find
3	areas of contamination and decide what to do about
4	it, and I was asked to assist this particular
5	situation.
6	Q. Did the railroad ask you to do this
7.	study back in 1990?
8	A. No. This was done this came
9	from within ORNL.
1.0	Q. And can you tell me what can you
11	tell me what you looked at? What did you study in
12	1990 in regard to this report?
13	A. What happened in 1990, sometime
14	back in the '80s and I honestly don't know when,
15	someone discovered that there was a bit of
16	contamination along railroad along a railroad
17	line that ran from the east end of Oak Ridge into
18	the Y-12 plant, and some radiation surveys had been
19	done, people go out with meters and they count the
20	radiation levels and this was all written up and I
21	was basically given this radiation survey data and
22	asked to do a general kind of assessment, just in
23	general terms about what do these levels of
24	radiation and radioactivity mean in regard to
25	potential exposures to the members of the public,

. 4

	David Rocher, Ph.D Direct
1	there are houses along the railroad tracks and
2	people might walk along the railroad tracks to avoid
3	automobile traffic, kids might play out there,
4	trains were still running along those tracks in
· 5	those days, what would a typical potential radiation
. 6	exposure of a railroad worker have been, so I was
7.	asked based on this radiation survey data to just do
8	a general assessment of how big these doses that
9	these people might get and how big would they be.
10	Q. Okay. And what type of data did
11 ·	you use to do your potential dose assessment?
12	A. Yes, I used the radiation survey
13	data that had been collected by the Environmental
14	Restoration Program and given to me. This had been
15	written up with covered memoranda and reviewed
16	within the laboratory and basically approved.
17	Q. Okay. Is it typical for a health
18	physicist such as yourself to rely on field data
19	collected by someone else?
20	A. Absolutely, if I had to go out and
21	do it myself, we wouldn't
22	get anywhere.
23	Q. Well, tell us what you concluded
24	back in 1990 regarding potential exposures to the
25	public and the railroad workers along the tracks at
10	

Truesdel & Rusk

the east end of Oak Ridge?

1

2

3

4

5

6

7

8

9

10

11

24

25

A. Well, I concluded that it was not a serious problem and the way I assessed that, I did a dose assessment that probably overestimated a dose that people would normally get. I mean, I was just making a general assumption about how much time would somebody spend walking along the track or how long would a railroad worker spend there and because this was a general kind of assessment, I compared these dose estimates with regulatory standards that existed at the time.

12 There exists public dose limits, 13 doses above which the public is not supposed to 14 receive and these doses were well below any 15 applicable regulatory criterion so my recommendation 16 to the officials at Oak Ridge was that this is not a 17 serious problem, but I also recommended I think more 18 for public relations than for protection of public 19 health that because these areas are fairly local, 20 they ought to just go dig up a few of them, we are 21 talking about a few cubic yards of contaminated dirt 22 and haul it away and they took my advice. 23 Okay. Q.

A. But it was not a serious problem at the time.

Truesdel & Rusk

App. 216

David Kocher, Ph.D. - Direct 1 Dr. Kocher, that was in 1990. 0. 2 20 years later you get a call from 3 me. 4 Α. Yes, ma'am. Okay. And was it out of the blue? 5 0. Out of the blue. Blast from the 6 A. 7 past. 8 0. Okay. What did -- did I contact 9 you to talk more in depth about your 1990 study and the potential contamination exposure out there on 10 11 the tracks in east Oak Ridge? 12 A. That was the subject of our 13 conversation, yes. 14 Did I ask you to look more closely 0. at potential exposures to railroad workers, in 15 16 particular, to Mr. Payne? Yes, the idea here was to take this 17 A. 18 general kind of assessment with sort of assumptions that I didn't really know whether they were 19 realistic or not and tailor that assessment to the 20 21 conditions of exposure that Mr. Payne experienced, essentially based on his depositions. 22 Did you do that? 23 Q. Indeed I did. 24 A. 25 Did you issue a report? Q.

Truesdel & Rusk

	David Rocher, Fi.D Direct
1	A. Indeed I did.
2	Q. And can you tell me or tell the
3	jury what what did you review and what
4	assumptions did you make and what documents did you
5	review to prepare to issue your report?
6	A. There's two basic pieces of
7	information that I used. Number one, I relied again
8	on the radiation survey data that had been taken
9	back in the 1980's that I used in my generic dose
10 .	assessment back in 1990, those data are still valid
11	and they reflected the conditions at the time and
12	the conditions that were roughly the same as
13	Mr. Payne would have experienced. And I combined
14	that with assumptions about where he worked during
15	what year, how many times a week did he go out
16	there, how many runs back and forth to Y-12 from the
17	east Oak Ridge did he make, and I tailored this dose
18	assessment to the particular conditions of exposure
19	that he stated in his testimony that this is what he
20	did and seemed to be, by and large, beyond
21	contention. I basically took his word for it.
22	Q. You took your 1990 report and
23	applied it to Mr. Payne.
24	A. Absolutely. That's exactly what I
25	did.

1953

	David Kocher, Ph.D Direct
ļ	Q. And can you tell me, did you also
2	go out to the tracks leading up to Y-12 just with
3	Mr. Maynard?
4	A. Yes, in early or mid August, I
5	don't remember the exact date, Mr. Maynard came to
6	Oak Ridge and I met Jay Baker and the three of us
7	just went out and we drove along the railroad tracks
8	from the east end of Oak Ridge to Y-12 and around
9	the Y-12 area, we got out and walked around and just
10	saw where the tracks went and kind of got the lay of
11	the land and I explained to them what I knew about
12	the past history of this location.
13	Q. Did Mr. Maynard explain about
14	switching operations for railroaders?
15	A. Yes, he explained to me, I'm not a
16	railroad person, he explained to me what Mr. Payne's
17	duties would have been at the time.
18	Q. Okay. Does this appear to be the
19	track leading up into the Y-12 plant?
20	A. That's basically how it looks
21	today, yes, that gravel riprap there is where the
22	track used to be and you can sort of see a bit of it
23	in the lower right-hand corner but, yeah, going off
24	into the picture to the center left is going into
25	the Y-12 railroad yard.
1.	

1	Q. And does this appear what it looked
2	like the day you went out there with Mr. Maynard and
3	Mr. Baker?
4	A. Yes indeed.
5	Q. Okay. All right.
6	MS. YOUNG: You can do the lights,
7.	if you would.
8.	Thank you very much.
· 9	Q. (BY MS. YOUNG) Well, let me go back
10	a little bit to what you based your opinion on.
11	Was there a particular time frame
12	or period of time that you based Mr. Payne's work on
13	out there from east Oak Ridge leading into the Y-12
14	plant?
15	A. He testified that his work
16	activities occurred during the year 1983, this not
17	contended by Mr. Maynard, so that was my assumption.
18	Q. Okay. And did he did you make
19	some assumption based on Mr. Payne's testimony about
20	how often he went out there, how many times, the
21	length of time and where he went?
22	A. Yes, he stated that he made
23	three days a week, he came to work along that rail
24	line and he testified on each of those workdays, he
25	made two round trips from east Oak Ridge into the

	David Rocher, In.D. Direct
1	Y-12 plant and back and he also testified that he
2	spent 30 minutes each time he went back to the
3	railroad at east Oak Ridge, he testified that he
4	spent 30 minutes there moving cars around, walking
5	around, doing what trainmen do, the kind of thing
6	that Mr. Maynard explained to me, that he spent 30
7	minutes is obviously approximation, it wasn't 30
8	minutes exactly but that's the assumption I used
9 .	based on his testimony.
10	I also used his testimony that the
11	main function of the runs out there was to deliver
12	materials to the Y-12 plant inside that fence that
13	you showed that was seen in that previous
14	photograph, but on some of the trips, they would
15	also make stops at industrial facilities along the
16	way to deliver equipment and so part of my
1,7	assessment was to assume that he indeed made some
18	stops in an area where there may have been some
19	industrial activity but I basically used the
20	information that he testified to in his deposition
21	about how many days per week, how long a time, it
22	was approximately one year, how many round trips per
23	day, how much time did he spend in rail yards on
24	each trip, that kind of thing, I took his word for
25	it.

Truesdel & Rusk

App. 221

	David Kocher, Ph.D Direct
1	Q. Okay. Dr. Kocher, can you tell me
2	the jury has heard from Dr. Dooley about what was
3	called "bounding dose," did you do that in this dose
4	assessment?
5	A. Yes, my attempt in doing a dose
6	assessment for Mr. Payne from this radioactive
7	contamination along the railroad tracks was to do
. 8	what I call a bounding estimate, to come up with an
9	estimate of dose that I'm quite confident would be
10	higher than the dose he actually received and I did
11	this because I already knew from my 1990 report that
12	it was virtually certain that his dose was quite low
13	and that's an ideal situation for doing a bounding
14	estimate when you are quite confident that it's low.
15	Q. Well, give the jury an example, if
16	you would, about how you used a bounding dose or a.
17	conservative approach, give the jury an example of
18	how you gave Mr. Payne the benefit of the doubt or
19	was were favorable to him in your dose.
20	A. Okay. One of the things that
21	Mr. Payne did when he was in Oak Ridge, there was a
22	very small switch yard in the east end of Oak Ridge,
23	any of you familiar with it, it's called the
24	warehouse district and there were four railroad
25	tracks in this district, one was a through track

Truesdel & Rusk:

App. 222

1

2

3

4

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

from the CSX mainline over by Melton Hill, and the other three were kind of spur lines and we knew from the radiation survey data that the contamination, the radioactive contamination was almost entirely 5 along one of the three spur lines and so in order to give a bounding estimate of his dose, I assumed that all the 30 minutes that he spent in the warehouse district of Oak Ridge, he spent walking along this one track where the contamination was and that he spent none of his 30 minutes walking along any of these other tracks where there was no contamination and that's virtually certain that that's not what really happened. He certainly spent some of his 30 minutes in uncontaminated areas, but I assumed that he spent his entire 30 minutes out there on each trip walking along the contaminated track only. Well, based on your bounding Q.

assumption or your bounding calculations and based on the information that you've already described to us, do you have an opinion about whether or not -what is your opinion about Mr. Payne's exposures in the area east Oak Ridge to Y-12?

The bounding analysis I did, I came Α. up with in my judgment that his dose almost certainly did not exceed a value of 1 millirem which

	David Kocher, Ph.D Direct
1	is a very, very low dose.
2	Q. Dr. Kocher, the jury and everybody
3	in the courtroom has heard a lot about rems and
4	millirems and picocuries and maybe some other units
5	of measurements of radiation.
6	Could you help us out here, tell us
7	what put in perspective what one millirem is.
8	THE COURT: We have already gone
9	over that. Unless he's got a different
-10	concept of it, we've done that.
11	MS. YOUNG: Your Honor
12	THE COURT: It's a millirem is
13	still one-thousandth of a rem, we've already
14	done that.
15	When you say less than one
16	millirem, is that the entire time that he
17	was there?
18	THE WITNESS: During 1983 in Oak
19	Ridge, yes.
20	THE COURT: All right. Yeah, we
21	have already defined that.
22	Q. (BY MS. YOUNG) Dr. Kocher, don't
23	if you would, don't define what a millirem is for
24	us, but could you put it in context?
25	A. Yeah, I think when I do a dose
Elected to particular and the second second 2300 See. 23 With the and the start of the X 28 1 John Craighead M.D. - Direct i there, but that's a whole other topic. 2 Is there an association between. 3. emphysema and lung cancer? and ange Mary A. A. A. 2.2 4 Yes, there is. Α. 1 2 Does emphysema increase one's risk Let in ast. 5 . Q. 12 6 of getting lung cancer? 7 相影中的时间 Yes, it does. A .. Now, I think you touched on this 5. 8 Ó. briefly but I want to get you to talk about it, and ti. 10 言: then we are about done. But is there a relationship A. 11 between asbestos exposure and lung cancer? A. In a specific type of lung cancer, .15 . 1.2 all strates 1. 13 which is not the classical lung cancer, there is 14 . . . 2 what is known as a mesothelioma, but that's only ters " certain types of asbestos and under most unusual . 11 2 15 weren in eren 16 circumstances, but it's not what we call lung 17 . cancer. That's not what Mr. Payne had, is 18. 19 it? . That is not what Mr. Payne had. 2.0. A. Now, is it ever proper not in a 21 0. 22 mesothelioma situation but in a regular bronchogenic 23 lung cancer situation, is it proper to say that 24 asbestos played a role in causing that cancer? ... A. 25 Yes.

Truesdel & Rusk

8 de

1. 1. 1.

John Craighead, M.D. - Direct

1 And under what setting is it proper 2 to make that diagnosis? Α. When you have the disease 3 · · 4 asbestosis, the lungs are scarred extensively so 5 that it can be seen in an x-ray, and that's the critical feature. 6 7 Why is it important to first find 0. 8 asbestosis before you can say that asbestos played a ···9 role in the tumor? 10 Well, it's a marker of very heavy A 11 and prolonged exposure. You don't get it from 12 cutting an asbestos board such as a carpenter does; 13 you get it when you work with asbestos day in and 14 day out, over periods of 15, 20, 25 years. And 15 heavy exposures over long periods of time result in 16 asbestosis. There's no question under those 17 18 circumstances that it occurs, that lung cancer can 19 cause or -- I should say contribute to the development of -- excuse me, that asbestos can 20 21 contribute to the development of lung cancer, but 22 that's the situation where the lungs are extensively scarred by heavy and prolonged exposure to asbestos. 23 24 . Dr. Craighead, if somebody has a . 25 lung cancer and they have been a smoker but they go

Truesdel & Rusk

23. 11.

were the second of the

2302 John Craighead, M.D. - Direct to the doctor to see if they have this other disease asbestosis and there is no evidence of the asbestosis, is it proper to say that in that person the asbestos played a role in causing the tumor or is that improper? That's improper. Is there literature that the supports your belief? Yes, there is extensive literature. There is a chapter in this recent book written by an Englishman that exhaustively studies this issue. And then in a report that I made not long ago I cited four review articles, one of which that I wrote and three that were written by other scientists that say the same thing. They have been published in what is known as the peer reviewed literature, and they have been carefully analyzed. Did you see anything in Mr. Payne's medical charts that suggested that he had asbestosis? No, I did not. If he didn't have asbestosis, what does that tell you about the likelihood that

1

2

3.

6

. 7

8

io.

11

12

13

14 ٠.

15

16

17.

18

19

20

21.

22 .

23

24

25

4. 19.

Α.

ing.

5

÷ ...

asbestos played a role in the cause of his lung

Truesdel & Rusk ...

2303 - 7 John Craighead, M.D. - Direct ~ 7 1 cancer? 2 I don't think that -- on that basis Α. there's no evidence that it did. 3 4 Q. Why is cigarette smoking so bad for you? 5 First, we differ. Some people are 6 Α. 7 more susceptible to the adverse effects than others, and there are over 3,000 different constituents, 8 . 9 there's the gas phase, there is a particulate phase, and the particulate phase contains the whole series 10 11 of carcinogens known as nitrosamines and as 12 polycyclic aromatic hydrocarbons. And those are 13 carcinogens. 14 But we have a whole series of other chemicals that can damage the lung substance. Some 15 16 of those are what we know as promoters, they help 17 the polycyclic aromatic hydrocarbons do their dirty work and there are a dozen of those. 18 19 But it's the unique combination of 20 all of these gases, particulates and chemicals that 21 are the basis for the adverse effects. And despite what the advertisers would like to tell you, 22. 23 filtering may reduce the toxicity, but filters don't necessarily prevent lung cancer. 24 25 You said when we first started Q.

John Craighead, M.D. - Direct

talking, Dr. Craighead, that it was your belief that Mr. Payne had accumulated approximately 30 pack years of cigarette smoking? A. Yes, from what I could gather in his deposition. Q. Essentially one pack a day for 30

years.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

What did that 30 pack year history do to his personal risk of getting lung cancer?

A. Well, it goes up It's a dose dependent issue, and it goes up as the years pass. And there's good evidence now that if even if you quit, the risk that you had on the day you quit, the risk of developing lung cancer, if anything increases as the years pass.

And there's something of course about -- about age in cancer, that it's more likely that older folks are going to get cancer, and the effects of the cigarette smoking earlier in life seem to be nullified as the time passes, as one grows into the golden years, so to speak.

Q. Now, I think any of us who have smoked have had a doctor tell us you need to quit because quitting will be good for your health. Mr. Payne, the evidence is

John Craighead, M.D Direct Mr. Payne quit smoking in about 1988. Was that a good thing for him to for himself? A. Yes, I don't think it decreases the risk of cancer developing, but as we say, there are lots of different gases and there are irritants, there are acids and alkalides, and those enhance the effects that result in emphysema and irritation of the respiratory tract. So, yes, quitting is appropriate. Its major effect will be on the adverse effects of emphysema and the irritation of the lung tissue. Cancer, unfortunately, the die is cast. Q. Dr. Craighead, based on everything you have seen in this case and on your years of working in the area of cancer, do you have an opinion you can share with this jury to a reasonable
1 Mr. Payne quit smoking in about 1988. 2 Was that a good thing for him to 3 for himself? 4 A. Yes, I don't think it decreases the 5 risk of cancer developing, but as we say, there are 6 lots of different gases and there are irritants, 7 there are acids and alkalides, and those enhance the 8 effects that result in emphysema and irritation of 9 the respiratory tract. So, yes, quitting is 10 appropriate. Its major effect will be on the 11 adverse effects of emphysema and the irritation of 12 the lung tissue. 13 Cancer, unfortunately, the die is 14 cast. 15 Q. Dr. Craighead, based on everything 16 you have seen in this case and on your years of 17 working in the area of cancer, do you have an
 for himself? A. Yes, I don't think it decreases to risk of cancer developing, but as we say, there are lots of different gases and there are irritants, there are acids and alkalides, and those enhance to effects that result in emphysema and irritation of the respiratory tract. So, yes, quitting is appropriate. Its major effect will be on the adverse effects of emphysema and the irritation of the lung tissue. 13 Cancer, unfortunately, the die is cast. 15 Q. Dr. Craighead, based on everything you have seen in this case and on your years of working in the area of cancer, do you have an
 A. Yes, I don't think it decreases the risk of cancer developing, but as we say, there are lots of different gases and there are irritants, there are acids and alkalides, and those enhance the effects that result in emphysema and irritation of the respiratory tract. So, yes, quitting is appropriate. It's major effect will be on the adverse effects of emphysema and the irritation of the lung tissue. 13 Cancer, unfortunately, the die is cast. 15 Q. Dr. Craighead, based on everything you have seen in this case and on your years of working in the area of cancer, do you have an
 risk of cancer developing, but as we say, there are lots of different gases and there are irritants, there are acids and alkalides, and those enhance the effects that result in emphysema and irritation of the respiratory tract. So, yes, quitting is appropriate. Its major effect will be on the adverse effects of emphysema and the irritation of the lung tissue. Cancer, unfortunately, the die is cast. Q. Dr. Craighead, based on everything you have seen in this case and on your years of working in the area of cancer, do you have an
 lots of different gases and there are irritants, there are acids and alkalides, and those enhance the effects that result in emphysema and irritation of the respiratory tract. So, yes, quitting is appropriate. Its major effect will be on the adverse effects of emphysema and the irritation of the lung tissue. Cancer, unfortunately, the die is cast. Q. Dr. Craighead, based on everything you have seen in this case and on your years of working in the area of cancer, do you have an
 there are acids and alkalides, and those enhance the effects that result in emphysema and irritation of the respiratory tract. So, yes, quitting is appropriate. It's major effect will be on the adverse effects of emphysema and the irritation of the lung tissue. 13 Cancer, unfortunately, the die is Cast. 15 Q. Dr. Craighead, based on everything you have seen in this case and on your years of working in the area of cancer, do you have an
 8 effects that result in emphysema and irritation of 9 the respiratory tract. So, yes, quitting is 10 appropriate. Its major effect will be on the 11 adverse effects of emphysema and the irritation of 12 the lung tissue. 13 Cancer, unfortunately, the die is 14 cast. 15 Q. Dr. Craighead, based on everything 16 you have seen in this case and on your years of 17 working in the area of cancer, do you have an
 9 the respiratory tract. So, yes, quitting is 10 appropriate. Its major effect will be on the 11 adverse effects of emphysema and the irritation of 12 the lung tissue. 13 Cancer, unfortunately, the die is 14 cast. 15 Q. Dr. Craighead, based on everything 16 you have seen in this case and on your years of 17 working in the area of cancer, do you have an
 10 appropriate. Its major effect will be on the 11 adverse effects of emphysema and the irritation of 12 the lung tissue. 13 Cancer, unfortunately, the die is 14 cast. 15 Q. Dr. Craighead, based on everything 16 you have seen in this case and on your years of 17 working in the area of cancer, do you have an
11 adverse effects of emphysema and the irritation of 12 the lung tissue. 13 Cancer, unfortunately, the die is 14 cast. 15 Q. Dr. Craighead, based on everything 16 you have seen in this case and on your years of 17 working in the area of cancer, do you have an
12 the lung tissue. 13 Cancer, unfortunately, the die is 14 cast. 15 Q. Dr. Craighead, based on everything 16 you have seen in this case and on your years of 17 working in the area of cancer, do you have an
13Cancer, unfortunately, the die is14cast.15Q.16you have seen in this case and on your years of17working in the area of cancer, do you have an
<pre>14 cast. 15 Q. Dr. Craighead, based on everything 16 you have seen in this case and on your years of 17 working in the area of cancer, do you have an</pre>
Q. Dr. Craighead, based on everything you have seen in this case and on your years of working in the area of cancer, do you have an
16 you have seen in this case and on your years of 17 working in the area of cancer, do you have an
17 working in the area of cancer, do you have an
18 opinion you can share with this jury to a reasonabl
19 degree of medical certainty as to the most likely
20 cause of Mr. Payne's lung cancer?
A. Yes.
22 Q. What is your opinion?
23 A. I think it's highly probable, in m
24 opinion it's pretty definite that Mr. Payne's lung
25 cancer was caused by cigarette smoking.

	2306
· 1 .	
	John Craighead, M.D Direct
i	Q. Did any diesel exhaust exposure
2	that Mr. Payne might have had play any role in the
3	causes?
4	A. No.
5	Q. Did any asbestos exposure he may
ih: 6	have had play any role in the causes?
· ': 7	A. I don't think so.
5 8	Q. Doctor, you've been on direct
9	examination for about an hour. You've given us a
¥ 10	number of professional opinions.
i.i.	Let me ask you, are those opinions
12.	that you've shared with this jury opinions you hold
	to a reasonable degree of medical certainty and are
14	they also the product of your best professional
15	judgment?
16	A. Yes, they are.
17	Q. Thank you, Dr. Craighead. These
' '18 .	gentlemen may have some questions for you.
19	CROSS-EXAMINATION
20	BY MR. GILREATH:
21	Q. Dr. Craighead, you gave up your
22	pathology practice at hospitals in 1992, is that
2.3	right?
. '24	A. Yes, that's correct.
25	Q. You have not taught any classes on
The UP and CARD	

Truesdel & Rusk

.

:2

1422

44.48

•	230
	John Craighead, M.D Cross
1	epidemiology?
2	A. No, only in well, you see,
3	pathology is the study of disease, so epidemiology
4	is intrinsic to the study of pathology.
5	Q. My question is you've not taught
6	any classes on epidemiology?
7	A. Not formal classes, no.
8	Q. You have done no independent
9	studies on asbestos-containing products to determine
0	how much asbestos dust gets released
1	A. Is released when?
2	Q. You have done no independent
3	studies on asbestos-containing products to determine
4	how much asbestos dust gets released when they are
5	used?
6	A. That's not my area of study. I
7	depend on industrial hygienists to do that.
в	Q. So you depend on others to do the
9	study, and then you give your opinions, is that
j	right?
L	A. With regard to the release, yes.
2	Q. So you're an opinion witness here
3	today?
1	A. No, insofar as the quantitation of
5	release from a particular product, the industrial

à.,

ang constituer

1 20.

ć

2308 .

ą.,

John Craighead M.D. - Cross .1 hygienist has the tools that allow them to provide quantitative information. I don't do those types of 2. ы, 3 studies. .. 4 Right. You read their studies and Q: 17: 17: 14 · · · · 1.42 then you give opinions? ,5 6 In part related to my other Α. 7 in the second second experience. 8 And that's what you are doing today 0. Sec. Sugar Burgar is you are giving us your opinion, right? 9 A- Yes. The of a factored 10 Q. And since 1996 your practice has 11 been limited to doing consulting work for lawsuits? 12 the start 13 Yes: And your opinions -- in all of 14 0. the sheet of the states 1. 15 those cases you've given your opinions, right? is a construction 1.8.12.1.1.1.1.1.1. 16 A. . · Yes, Manual I for t 17 As you are doing today. Q. .18 Yes. A. Q. You give lectures to defense 19 20 lawyers who defend asbestos companies and railroads, 21 you give lectures to these lawyers on how to defend 22 cases and have in the past? 23 ; A. I have on a few cases, yes. 24 And do you charge the same amount Q. 25 when you give those lectures as you do when you come

Li the

5-1

14

12

Truesdel & Rusk

w.vf

5 I	2318
4	John Craighead, M.D Cross
1.1	published in the literature since that time. That's
1	and the second
2	a very old study, 1985.
3	Q. Well, you were working at the same
4	time as Dr. Selikoff did the study?
5	A Yes, and we criticized that rather
6	vigorously at the time.
7	Q. And he was studying asbestos at
1	Mount Sinai.
	A. Yes, shipyard workers, yes,
10	Q. All types of asbestos can
11	contribute to lung cancer, correct?
12	A. If there is a sufficient exposure
13	to result in asbestosis, to the best of my
2.1 1:4	knowledge, yes.
15	Q. You've testified before that in
16	theory one fiber of asbestos alone can cause
i7	mesothelioma?
18	A. Yes, in theory.
-19	Q. Of course, you saw a thyroid cancer
20	in Mr. Payne, didn't you?
21	A. Yes.
22	Q. And that's caused by radiation,
23	isn't it?
24	A. That's one of the contributing
25	"causes, yes. It's not the only cause. Most

Truesdel & Rusk

e des

11

ł

•	John Craighead, M.D Cross
1	individuals we don't know what the cause was.
2	Q. I believe since you started doing
3	consulting work you've handled over 5,000 cases, is
·4	that right?
5	A. Roughly that, yes.
6	Q. That's all I have, thank you.
7	MR. BAKER: I have nothing further
8	of this witness.
9	THE COURT: Thank you, Doctor. You
io	can be excused. We'll take a 15 minute
11	break and then come back.
12	(Jury dismissed from courtroom).
13	THE COURT: Something you want to
14	talk about right now, Mr. Baker?
15	MR. BAKER: Yes, Your Honor.
16 .	THE COURT: What is that?
17	MR. BAKER: Mr. Gilreath,
18	plaintiff's counsel, asked this witness
19	about thyroid cancer. This court has
20	excluded thyroid cancer as a cause through
21	the deposition testimony of Dr. Manning and
22	others.
23	As we all know, everyone concluded
24.	that he could not have thyroid cancer, that
25	what they thought was a lesion turned out
	3

2320 7 . A. . . A. S. not to be a lesion. :森· Now -- and as a result of an agreement that was reached that no one would attempt to demonstrate to this jury that the thyroid problem was related to radiation -and that's not been part of this trial. Yet today Mr. Gilreath comes in and asks this witness about thyroid cancer, and the . 4 1. 1 witness testified that thyroid cancer can be 11.6. caused by radiation when everyone knows that the man did not have thyroid cancer, everyone knows that it was a nonmalignant lesion and that had nothing at all do with his treatment, nothing at all to do with his death, yet he brought that out in front of this jury. So I must move for mistrial as a result of what was done just a moment ago. MR. GILREATH: Your Honor, I didn't ask him if the man died of thyroid cancer, if thyroid cancer caused his death, I was only testing the credibility of the witness. MR. BAKER: You asked him, I wrote it down, did he have thyroid cancer? And he said yes. MR. GILREATH: No, I said did you

25

1

11.2

2

3.

4

1.

.5

6

7

8

9

10

11

12

13.

14,

1.5

16

17

18

19

20

21

22

23

24

25

	, 2321
 1 ·	find thyroid cancer.
. 2	MR. BAKER: Okay. Did you find
3	thyroid cancer.
.4	I just cannot believe that this has
5	happened.
6	THE COURT: What do you want me to
7	do?
8	MR. BAKER: You are going to have
9	to grant a mistrial because he brought that
10	up unless you figure out some way to cure
· · 11	it, maybe a statement to the jury, a
12	statement to the jury that he did not have
13	thyroid cancer. There's absolutely no
14	evidence
15	THE COURT: Okay. We'll tell the
16	jury that.
17	Like your previous motion, we'll
18	take no action on that at this time.
19	THE COURT: So we'll see you back
.20	in a few minutes.
21	(Off the record at 9:56 a.m.)
22	(On the record at 10:09 a.m.)
23	MR. SHAPIRO: Your Honor, can I be
24	heard before Mr. Baker asks for the
25	particular instruction?
	A * * ·

· · · the · · ·

Your Honor, the part that I want you to be aware of is that Dr. Craighead gave a written report to the plaintiff in this case and, Your Honor, in his written report, Your Honor, he stated in June of 2006 a PET scan demonstrated hypermetabolic activity in the region of the tumor in the left lung, but incidentally showed up a hypermetabolic nodule in the right lobe of the thyroid. A fine needle aspiration of this nodule demonstrated a papillary thyroid carcinoma. I have examined this specimen and concur. And he cites the biopsy. This is in the written report provided by the defendant to the plaintiff. Your ruling, Your Honor, as we understood it, was that the plaintiff's experts had not discussed thyroid cancer as a part of their diagnosis on our burden of proof, but the plaintiff is entitled to test the credibility of defense witnesses, and this is one of their witnesses confirming thyroid carcinoma. So the way Mr. Baker is proposing this instruction is that he didn't have thyroid cancer.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

2ª:

Truesdel & Rusk

2322

• •	
1	That's a fiction. Their doctor
. 2	said he had thyroid carcinoma.
3	Now, we don't object to you reading
4	to the jury that the plaintiff's experts in
5	this case, or physicians, did not discuss
6	thyroid cancer as being connected to this
. 2	claim. But it's not true to say to the jury
8	no one diagnosed thyroid cancer. And I'll
9	offer this report into evidence for 566 for
10 _	identification, Your Honor, the report of
11	Dr. Craighead. Thank you.
12	(Exhibit 566 marked for
13	identification).
14	MR. BAKER: Well, first,
15 .	plaintiff's own expert said he didn't have
16	it. All the doctors said he didn't have it.
17	It went away. They know that. They know
18	that it's not cancer, and yet here they are
19	trying to convince this jury that it was.
20	This is the instruction that we ask
21	the Court to read.
22	"I instruct you that Mr. Payne did
23	not have thyroid cancer." That is the
24	truth. "I remind you that its own expert,
25	Dr. Frank, testified that plaintiff did not

.....

Truesdel & Rusk

2323

· · · · · · 2324 · 1.1 have thyroid cancer. I instruct you to Ŀ disregard plaintiff's line of questioning 2 regarding thyroid cancer and radiation. 3 This was an improper line of questioning by 4 5 plaintiff's counsel and is not at all a part 6 of this case." I submit this for the Court's 7 consideration, and ask that that be made an 8 exhibit for identification. e. 9 MR. SHAPIRO: For the reasons noted 10 we object to the precise language there, 11 12 Your Honor. (Exhibit 567 marked for 13 identification). 14 (Jury returned to courtroom at 15 10:12 a.m.). 15 (END OF VOLUME XVI) 17 18 19 20 . 21 1 .. 22 23 24 25 Truesdel & Rusk

App. 240

1i

THE COURT: Before we get to the 1 next witness, in the cross examination of 2 the last witness, mention was made of the 3 term thyroid cancer. As you previously 4 heard, there's no claim in this case that 5 the plaintiff suffered from thyroid cancer 6 7 or that that caused him anything that is the subject matter of this case. 8 So who is your next witness? 9 MR. BAKER: Your Honor, I propose 10 to read certain portions of the deposition 11 of Mr. Payne that was taken on October the 12 42 2nd, 2008. 13 14 May I proceed? THE COURT: All right. 15 MR. BAKER: The plaintiff, 16 17 Mr. Payne gave a deposition on October 2nd, 2008. 18 THE COURT: This is a different 19 in the second 20 deposition than the one that was previously 21 presented to me. MR. BAKER: And after being duly 22 sworn testified as follows, at Page 5, 23 ST. Sty and 1.1 Line 4 through 6. 24 2 . 124 25 (Whereupon, excerpts from the the second state of the second states and second states and second states and second states and second states a Truesdel & Rusk

Sec. Sec.

1.12

4 1 14 1⁻⁴ 14 1

2325

CERTIFICATE OF SERVICE

I certify that, on this $\frac{27}{2}$ day of March, 2014, I served a true and cor-

rect copy of the foregoing on:

Richard N. Shapiro Shapiro, Lewis, Appleton & Favaloro, P.C. 1294 Diamond Springs Road Virginia Beach, VA 23455

Sidney W. Gilreath Cary Bauer Gilreath & Associates 550 Main Street, Suite 600 Knoxville, TN 37911

me Emily L. Herman-Thompson